

IN THE UNITED STATES DISTRICT COURT
FOR THE DISTRICT OF PUERTO RICO

TROPICAL CHILL CORP., et al. :
 :
 :
 Plaintiffs :
 :
 :
 v. : 3:21-cv-01411-RAM
 :
 PEDRO R. PIERLUISI-URRUTIA, et al. :
 :
 :
 Defendants :
 :
 :

FIRST DAY OF PRELIMINARY INJUNCTION HEARING

Was held Before HONORABLE JUDGE MARCOS E. LOPEZ,
U.S. MAGISTRATE JUDGE sitting in San Juan, Puerto Rico,
on December 6, 2021 at 9:12 a.m.

1 APPEARANCES:

2

3 FOR THE PLAINTIFFS:

4 ARTURO V. BAUERMEISTER, ESQ. - (Via VTC)

5 JOSE DAVILA, ESQ. - (Via VTC)

6 ILYA SHAPIRO, ESQ. - (Via VTC)

7

8 FOR DEFENDANTS:

9 IDZA DIAZ, ESQ. - (Present in court)

10 JOSE R. CINTRON, ESQ. - (Present in court)

11 ELISABET GARCIA, ESQ. - (Present in court)

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 THE MAGISTRATE: Good morning to all.

2 MR. BAUERMEISTER: Good morning.

3 MR. DAVILA: Good morning.

4 MS. DIAZ: Good morning.

5 MR. CINTRON: Good morning.

6 MS. GARCIA: Good morning.

7 THE CLERK: You may be seated. Civil Case 21-1411.
8 Tropical Chill and other plaintiffs versus Pierluisi Urrutia
9 and other defendants. On behalf of plaintiffs, Counsel
10 Arturo Bauermeister and Counsel Jose Davila. On behalf of
11 defendants, Counsel Idza Diaz, Counsel Jose Cintron and
12 Counsel Elisabet Garcia. Counsel Shapiro is joining the
13 Zoom conference.

14 THE MAGISTRATE: Good morning, Counsel. As we
15 spoke previously when we had the last status conference, I
16 expect all those witnesses who reside in Puerto Rico to come
17 personally to testify here at the hearing. If there are any
18 witnesses that are not residents of Puerto Rico, I'm willing
19 to make the exception so that we can allow them to testify
20 by video conference.

21 I strongly urge both sides to be efficient. We have a
22 significant number of witnesses and I can tell you, as I
23 said to you back when we were at the status conference, that
24 this Friday, it doesn't look like we're going to be able to
25 have proceedings related to the Preliminary Injunction

1 Hearing. So, you need to maximize your time. There are
2 other matters that could affect, even during this hearing,
3 during this week, there are going to be a few interruptions.
4 Not that many but they're going to be a few.

5 So, I strongly urge you to be efficient and I'm
6 somewhat disappointed that I haven't heard any stipulations
7 reached by the parties but if that's the way it is, that's
8 the way it is and we'll proceed.

9 So, without any further delay, Mr. Bauermeister, who's
10 your first witness?

11 MR. BAUERMEISTER: Good morning, Your Honor. Our
12 first witness is Tropical Chill's owner, Jaime Vega.

13 THE MAGISTRATE: So, please use the lectern.

14 (The witness was duly sworn)

15 Whereupon,

16 JAIME VEGA

17 was called as a witness and after having been first duly
18 sworn was examined and testified as follows:

19 DIRECT EXAMINATION

20 BY MR. BAUERMEISTER:

21 Q Good morning, Mr. Vega. Mr. Vega, where do you
22 currently work?

23 THE MAGISTRATE: Hold on, Counsel. Your witness
24 for direct examination but it would be good if we start by
25 asking the witness to state under oath what is his name. I

1 know you introduced him but let's have him introduce himself
2 under oath. What's your full name?

3 THE WITNESS: Jaime Vega.

4 THE MAGISTRATE: All right. Could you have one of
5 the microphones a little bit closer towards you so that I
6 can hear you better?

7 THE WITNESS: Does this work?

8 THE MAGISTRATE: That's much better. All right.
9 Pardon the interruption. You may now proceed with the
10 examination of the witness. Go ahead.

11 MR. BAUERMEISTER: Thank you, Your Honor.

12 BY MR. BAUERMEISTER:

13 Q Mr. Vega, where do you currently work?

14 A I am currently the owner of Tropical Chill Corporation.

15 Q What are your functions and duties in Tropical Chill?

16 A We own and operate three ice cream parlors known as
17 Maggie Moose and we have three stores in the metropolitan
18 area.

19 Q Where are those stores located?

20 A In Senorial in Cupey, in Jardines Reales Shopping
21 Center in Guaynabo and in Plaza Dorada in Dorado.

22 Q How would you describe the way that Tropical Chill
23 operates?

24 A Well, we have three locations and those three locations
25 are small locations. The largest one is 1,500 square feet,

1 the smallest one is 400 square feet and we serve ice cream
2 to our customers, usually delivery, take out in site and
3 drive thru.

4 MR. BAUERMEISTER: Your Honor, I'm sorry, Mr. Vega.
5 Your Honor, Attorney Shapiro apparently is still having
6 connectivity issues.

7 MR. SEGUI: We're working on it.

8 MR. BAUERMEISTER: Okay, we're working on it.

9 THE MAGISTRATE: Well, we have Mr. Pablo Segui here
10 and he's our audio visual specialist, so hopefully that will
11 be able to be sorted out. I don't know if you want to pause
12 for a moment or you're welcome to continue right on. Okay,
13 can we proceed now?

14 MR. BAUERMEISTER: Yes, thank you, Your Honor.

15 THE MAGISTRATE: All right. Very well, go ahead.

16 BY MR. BAUERMEISTER:

17 Q Mr. Vega, can you please describe the size of your
18 stores.

19 A Yes. The San Juan store is 1,500 square feet and has a
20 drive thru. Guaynabo store is around 1,400 square feet, no
21 drive thru and the Dorado store is 400 square feet,
22 obviously no drive thru and those are the sizes basically of
23 the three stores.

24 Q Mr. Vega, what are the challenges, if any, of being an
25 entrepreneur or a small business owner in Puerto Rico?

1 A How long do we have?

2 THE MAGISTRATE: Well, not very long so get to the
3 point quickly.

4 THE WITNESS: Okay, I will. It's a challenging
5 situation to be a small business owner in Puerto Rico. A
6 lot of regulations, electrical issues, staffing issues, et
7 cetera, et cetera. Those are some of the main ones.

8 BY MR. BAUERMEISTER:

9 Q What is your opinion of vaccines in general?

10 A I think vaccines are a scientific miracle. I strongly
11 believe in them.

12 Q What about the available Covid-19 vaccines?

13 A I think again that it's been an amazing process the way
14 they developed them so quickly and I believe in them so
15 strongly. My whole family has their two shots. My wife and
16 I have our boosters and my two children have their two
17 shots.

18 Q So it would be fair to say that you and your family are
19 strongly pro vaccine.

20 A Yes, sir.

21 Q Why are you a plaintiff here then?

22 A I am a strong believer in vaccines but I am not a
23 strong believer in government overreach. I believe that
24 everyone should get vaccinated but I don't believe that we
25 should force people to put things inside their bodies that

1 they don't want to.

2 Q Have you heard of the Executive Orders that have been
3 passed regarding the Covid vaccine mandate?

4 A Yes, sir.

5 Q What can you tell us about it?

6 A I think that the Executive Orders that have been
7 developed in the past 12, 18 months are arbitrary, not
8 solely based on scientific data, not solely based on fact
9 and I think they're -- I don't think they're fair and just
10 to small businesses and I don't think they're fair and just
11 to people who don't want to get vaccinated and I think
12 they're discriminatory.

13 Q Are you specifically talking about the Executive Order
14 that was passed in August?

15 A Yes, the last one that was passed in August and revised
16 in November 15.

17 Q What -- you mean revised in November. What do you mean
18 by revised?

19 A They sent out a new -- I'm not sure the right
20 terminology, a revision or an actualization of the last
21 Executive Order in August. I am aware of them.

22 Q In terms of Tropical Chill, what options, if any, did
23 you have -- did the vaccine mandate that was passed in
24 August and November give you?

25 A There was two options for small businesses and

1 restaurants. If you were operating a restaurant, you had
2 either to request a VACU Id, vaccination identification for
3 customers coming in or you could operate at fifty percent
4 capacity.

5 Q How did this make you feel?

6 A Frustrated, worried because I didn't -- Tropical
7 Chill's mission in Puerto Rico, our mission statement is to
8 provide the best in class product, our ice cream product, in
9 a happy and family environment and atmosphere. In a
10 nutshell we sell happiness, ten minutes of happiness to our
11 customers.

12 I didn't feel it was right for me, my employees and my
13 clients, for me to be inquiring them about their private
14 medical issues and data. So, it was very frustrating.

15 Q Did you choose any of those two options that you talked
16 about?

17 A I was forced to. So, I chose what I believe was the
18 lesser of two evils and I chose the fifty percent capacity
19 in all my locations.

20 Q Why do you say lesser of two evils?

21 A Because even though it's a hassle to allow less
22 customers into your locations, I didn't feel -- I felt it
23 conflicted with our mission statement to provide happiness
24 to my clients and I didn't feel and my employees were not
25 comfortable asking people they didn't know about their

1 medical data.

2 Q Just to clarify, are you saying that vaccine Id
3 mandates do not promote like happy environments?

4 A Okay. I can give you an example. I have two children.
5 One is a 10 year old girl and the other one is a 14 year old
6 boy and we all have our shots and the 10 year old just got
7 her second shot about a week ago and we went to a restaurant
8 and we tried to get in and they asked us for the VACU Id.

9 My wife and I had it on our cell phones. My son had it
10 on his cell phone and my daughter, she didn't have it. So,
11 they're saying, "oh but she looks older than 10."

12 It was an uncomfortable experience and after having
13 that experience, I wanted to make sure that none of my
14 clients had to go through that again. So, that's why I
15 chose the fifty percent capacity.

16 So, it's uncomfortable positions. I've seen it with
17 customers that come into my stores and when the stores are
18 at capacity, they turn away. They don't do the line, so
19 it's an uncomfortable position. I didn't want my clients
20 and customers to go through it.

21 Q Any other reasons?

22 A I just felt it was invasive. I felt it wasn't -- it
23 didn't provide the happiness and the happy environment that
24 I'm used to offering my customers.

25 Q Did you understand the vaccine mandates, how they

1 worked if you were to require the vaccine Id? Were they
2 clear to you?

3 A It was a little unclear because the amount of Executive
4 Orders that have been placed change variables constantly. I
5 mean, we've had issues of, first of all, no one could come
6 into a restaurant. It was only take-out or delivery.

7 The fifty percent capacity, then thirty percent
8 capacity, seventy five percent capacity, one hundred percent
9 capacity. It was a little unclear due to a large number of
10 changes that these Executive Orders should have partake.

11 Q Did you have any economical expenses if you enforced
12 the --

13 A Oh, ever -- I'm sorry.

14 Q No, if you actually decide to check on the vaccine Ids,
15 would that represent additional costs or an expense to you?

16 A Yes, ever since it all started, well, we've had to
17 incur in expenses, such as sanitizer, masks, deep cleaning
18 purification. The expenses of having an employee verify the
19 vaccination Id would have incurred in each of the additional
20 stores to have one employee just standing at the door to
21 insure that when a customer came in, you could check the
22 VACU Id.

23 I decided not to do that because it was an additional
24 expense and I didn't think it was justifiable in the P&L of
25 the company.

1 Q Can you describe the age range of most of your clients
2 or customers.

3 A We are a family focused company. So, our main target
4 are families and children. Children ages one through 17 and
5 adult ages 18 through 80 and I don't discriminate against
6 old people either. So, if senior citizens want to come in
7 and get their fix of ice cream, you're more than welcome.

8 Q So, there's a lot of children that come into your
9 store?

10 A Yes.

11 Q Did that represent an additional hurdle or challenge in
12 terms of enforcing the vaccine Id requirements?

13 A Yes and it still does because we have to -- well, since
14 I'm not operating at fifty percent capacity, we don't have
15 to do it, but if we had to do the VACU Id, we have to verify
16 if the children were younger than 12.

17 Now if they're younger than five and where do you draw
18 that line. I mean, you can have kids -- my oldest boy just
19 turned 14 and he's taller than I am. So, he looks like an
20 adult sometimes but he's a 14 year old boy. My girl is 10
21 and she looks like she's 13. So, where do you draw that
22 line?

23 Q Can you please describe Tropical Chill's experience
24 after the first vaccine Id mandate went into effect in
25 August.

1 A Yes. We -- our sales were doing very well. Our
2 seasonality here in Puerto Rico is not a big issue as it is
3 in the States, that you get your peaks during the summer
4 months and then when it gets colder, sales start decreasing.

5 Over the past 13 years that I've operated this
6 franchise, our sales decrease due to seasonality probably
7 range from two to four percent but it's usually pretty
8 stable.

9 Ever since the August Executive Order started, our
10 sales, I did a comparison of sales previous to August 16 for
11 three months and then sales for the three months following
12 the Executive Order. The sales for the previous three
13 months were \$235,000. For the subsequent three months after
14 the Executive Order was placed, they were \$190,000. So
15 there was a decrease of almost twenty percent in sales for
16 those months.

17 Q Are you saying or let me rephrase it. How do you know
18 that this decline in sales was caused by the fifty percent
19 capacity limit?

20 A Well, due to the fact that we've had a track record of
21 thirteen years operating this franchise, as I mentioned
22 previously, seasonality here is not a big issue. The
23 changes range from two to four percent and the only major
24 change that this year has seen is the Executive Order
25 mandate and that's the only major change we can attribute it

1 to.

2 Q Can you -- have you had or can you describe whether
3 you've had any burdens on enforcing the capacity limit?

4 A Yeah, we've had some issues. For example, the Dorado
5 location is a very small store, so at capacity it's only
6 three clients that can go into the store. You get clients
7 that walk in, don't want to do a line outside, so they just
8 leave.

9 In the San Juan store, we were just operating for a
10 long while on drive thru and deliveries and we opened up and
11 people still -- I don't foresee a lot of people -- when you
12 come to my stores, you want to forget about the world, you
13 want to enjoy a little moment of happiness and lines and
14 verification and hassles when you want to just eat some ice
15 cream with your kids are not something that goes on par with
16 our mission statement.

17 THE MAGISTRATE: I'm sorry, sir. When you say that
18 in the Dorado location only three clients can enter, are you
19 saying that before or after the Executive Order?

20 THE WITNESS: After.

21 THE MAGISTRATE: After the Executive Order of
22 August 16th?

23 THE WITNESS: Yes, sir.

24 THE MAGISTRATE: Okay, so are you saying that if
25 it's fifty percent and then normal capacity for the Dorado

1 store is six customers?

2 THE WITNESS: Six customers, yes, because it's a
3 small -- for that location particularly, it's a very small
4 footprint and we have tables outside of the location. So,
5 it's like a food court area.

6 So, we have four tables outside but inside the store
7 there could only be three clients at a time based on the
8 occupancy rate set by the Puerto Rico Fire Department.

9 THE MAGISTRATE: But you cannot use those four
10 tables outside?

11 THE WITNESS: They can use those four tables
12 outside but to come in and order the ice cream, there's only
13 three people max capacity.

14 THE MAGISTRATE: You may proceed.

15 MR. BAUERMEISTER: Thank you, Your Honor.

16 BY MR. BAUERMEISTER:

17 Q What do you think generally of the fifty percent
18 capacity limit?

19 A I'd love to see the data behind it. I think it's a
20 very arbitrary number. I think it was developed to try to
21 force people to get their vaccines or do the VACU Id
22 process.

23 Q Why do you say it was arbitrary?

24 A Because from what we've seen since the pandemic began,
25 numbers have varied. Initially it was just thirty percent,

1 then it was zero, only delivery and carry-out. Then fifty,
2 seventy five, one hundred, back down to fifty. That's why I
3 feel it's arbitrary. I don't think it's supported by any
4 particular data set.

5 Q Have there been different capacity limits throughout
6 the Covid situation?

7 A Yes, as I mentioned right now it's at fifty but it's
8 been at seventy five, thirty and one hundred at different
9 times and zero.

10 Q Do you think small businesses like yours are more
11 impacted or burdened by the fifty percent capacity limit
12 than larger ones, say a mall for example?

13 A I don't think so, I know so. It's been a bigger
14 burden on smaller businesses, especially with all the
15 challenges that we have to take on a regular basis to have
16 -- for example, in the Dorado store it's only three percent,
17 three people.

18 In the San Juan store, it's ten people. So that does
19 limit our traffic. So I do think -- I do believe it
20 represents a bigger obstacle to overcome than for a shopping
21 center or a restaurant with fifty tables.

22 THE MAGISTRATE: But please explain this to me
23 because, I mean, wouldn't those businesses that have fifty
24 tables, wouldn't the fifty percent rule apply equally to
25 them? So, wouldn't they be suffering at least theoretically

1 also fifty percent losses?

2 THE WITNESS: I believe it's a volume game. So,
3 when you have fifty tables at a restaurant, then you can
4 still turn a profit. I believe, I think still turn a profit
5 on twenty five tables versus a smaller location that usually
6 you have the four tables occupied.

7 THE MAGISTRATE: So, are you operating right now
8 with losses rather than profits?

9 THE WITNESS: For the past three months I have been
10 partaking some losses, yes. I haven't taken a salary in the
11 past month.

12 THE MAGISTRATE: You may continue.

13 BY MR. BAUERMEISTER:

14 Q What, if anything, will happen to your business in the
15 near future if the fifty percent capacity limit is not
16 lifted?

17 A We're evaluating options that we need to take to
18 operate the business at a profitable level. Some of the
19 actions that we have partaken as I mentioned, I haven't
20 taken a salary, not a salary, I haven't taken compensation
21 for the past month and we are starting to cut employees'
22 hours and we'll probably have to incur in layoffs if this
23 situation continues.

24 Q For how much longer do you think you'll be able to
25 avoid having to incur in this or, you know, make these

1 adjustments such as layoffs or whatever else you mentioned?

2 A Thirty to sixty days. That's what I think most I can
3 hold out without affecting my staff. I think that's the
4 longest I can hold out. Thankfully our operation has been
5 very steady for the past thirteen years but I don't operate
6 a business to lose money. I operate a business to earn an
7 income.

8 THE MAGISTRATE: Sure. No problem. You may confer
9 with your co-counsel. In the meantime, while they confer, I
10 will ask you an additional question. When you were giving
11 me the example about restaurants that have fifty tables, how
12 do you know that they can still turn a profit vis-a-vis a
13 small business? How do you know this? I mean, where are
14 you getting these statistics from, this data from, or is
15 this just simply street gossip sort to speak?

16 THE WITNESS: It's observational based on what I
17 can observe. All my locations are next to other restaurants
18 and I know that they've taken -- I'm not going to say that
19 they haven't taken losses or decreases in their profits but
20 two of my restaurants are next to, all of them, the one in
21 San Juan is next to Moncho Pan, a bakery, very high traffic
22 store.

23 They're asking for the VACU Id and their traffic has
24 slowed down and Metropol which is next to another one of my
25 stores in Guaynabo also has seen their traffic decrease. My

1 belief is that if that is happening since they have more
2 than one location or very loyal customers, I think they can
3 survive a little bit longer than those locations with small
4 footprints.

5 THE MAGISTRATE: But how do you know if big
6 businesses -- I'm asking these questions because you seem to
7 be drawing a distinction between big businesses and small
8 businesses because it seems that you're telling me that
9 small businesses feel the brunt of these Executive Orders
10 stronger with a bigger impact.

11 So, my question to you is how do you know that these
12 big businesses have not had, for example, to lay off
13 employees because of these Executive Orders?

14 THE WITNESS: I can't -- I don't know that. I
15 don't know that. It's observational.

16 THE MAGISTRATE: You may continue.

17 BY MR. BAUERMEISTER:

18 Q You mentioned before I think the phrase, volume game.
19 Can you explain that in the context of, you know, your fixed
20 costs and your operation and can you explain if your
21 restaurant works differently from say restaurants with
22 waiters, waitresses? I'm assuming that ice cream shop
23 doesn't have a waiter.

24 A No.

25 Q In the way people order food and go in and out of the

1 restaurant?

2 A When I mentioned volume game, I was basically, I'm the
3 owner but I'm also the plumber, I'm also the handyman trying
4 to -- I do whatever I have to do at the stores. Where you
5 have a bigger operation, you have more expenses but you have
6 a team that can take care of different things.

7 If that restaurant wants to partake in having one of
8 the employees, let's say, for example a waiter and there's
9 not that many tables, you can have that waiter stand at the
10 door and verify vaccination Ids.

11 I don't have that privilege because I run a very tight
12 ship and I, say for example, in the early mornings in the
13 stores, I only have one employee and myself going around the
14 stores but to have another employee would incur in a bigger
15 cost for me on a percentage basis than it would to a bigger
16 operation that has more employees that you can maybe move
17 some employees around to have in the front door and then
18 verify vaccination Ids.

19 Q Are you making a moral and economic decision or both in
20 deciding to operate at fifty percent?

21 A It's a, you know, it's difficult, it's difficult. Of
22 course, it's moral because I don't feel comfortable asking
23 and making my clients uncomfortable. I don't feel it's
24 right but it has affected my economical level.

25 Thankfully I've been very blessed in the past thirteen,

1 fourteen years, operating my locations and I gratefully have
2 no debt, no major debt on the stores but I can't continue
3 operating at a loss but it's between, it's like being
4 between a rock and a hard place because I don't want to bend
5 my beliefs to get more customers but and I don't want, of
6 course, to lay off any of my employees but I have to -- the
7 purpose of business is to turn a profit. So, it's a
8 difficult judgement call right now.

9 Q Putting aside the awkwardness or uncomfortableness of
10 the interaction, what do you think of not being able to
11 allow unvaccinated people in your restaurant?

12 A I believe it's discriminatory because say, for example,
13 someone has a cold, a common cold and that person comes into
14 my store and I have to verify their temperature. They have
15 a fever. I'm like, well, no, how do I know it's not Covid?
16 I think it's a discriminatory practice and especially here
17 in Puerto Rico that from what I understand, vaccination
18 rates are among the highest in the nation.

19 I don't know all the science behind it but I believe
20 that if the virus doesn't have anywhere to go when you have
21 over eighty percent of the population totally vaccinated,
22 the virus starts decreasing. So, it's a very -- I think
23 it's not -- I don't think it's fair.

24 Q You mentioned some numbers and some finances. Do you
25 have personal knowledge of those numbers and finances that

1 you mentioned?

2 A The ones that -- the nineteen percent decrease?

3 Q Yes.

4 A Oh, those are my numbers. I verify the numbers on a
5 daily basis.

6 MR. BAUERMEISTER: I don't have any further
7 questions, Your Honor.

8 THE MAGISTRATE: I believe your co-counsel is
9 trying to -

10 MR. BAUERMEISTER: Thank you, Your Honor. No
11 further questions.

12 THE MAGISTRATE: Okay. Who is going to be
13 conducting the cross examination of the witness? Okay, do
14 we have -- Mr. Cintron, correct?

15 MR. CINTRON: Yes and for the record, Attorney
16 Jose Cintron.

17 THE MAGISTRATE: All right, Counsel. Your witness
18 for cross examination.

19 CROSS EXAMINATION

20 BY MR. CINTRON:

21 Q Mr. Vega, good morning.

22 A Good morning.

23 Q In terms of tangible products, you're in the food
24 business, right?

25 A Yes, sir.

1 Q What you sell is a food item.

2 A Yes.

3 Q Ordinarily even if the Covid 19 situation was not in
4 the

5 picture, your business is heavily regulated in terms of
6 hygiene, in terms of safety, fire safety, et cetera.

7 A That is correct.

8 Q Now, referring to these restrictions that are in place,
9 different restrictions at different times, you refer to them
10 in your testimony, we could say that your competitors
11 operate under the same restrictions. Isn't that right?

12 A Yes.

13 Q Who are your main competitors?

14 THE MAGISTRATE: I'm sorry. I couldn't hear the
15 question.

16 BY MR. CINTRON:

17 Q Who are your main competitors?

18 A Cold Stone Creamery, Senor Paleta and Baskin Robbins.

19 Q In terms of actual number of visitors in each of your
20 locations, what is one hundred percent capacity?

21 A Depends on the location. In the San Juan store it's
22 twenty persons inside the restaurant. In the Guaynabo store
23 it's, I believe it's twelve and in the Dorado store, it's
24 six.

25 Q In terms of hours of operation, in terms of your

1 operation, let's take for example Dorado. You mentioned
2 that you have tables outside.

3 A Yes.

4 Q Does that represent an additional capacity?

5 A You could say so, yes. It's not inside the store, so
6 I'm only responsible for what the Fire Department says my
7 capacity is inside the store.

8 Q You mentioned like four tables, if I remember
9 correctly.

10 A Correct.

11 Q It seats about four persons each?

12 A I would say, yes.

13 Q In terms of your hours of operation, you have peaks and
14 lows, I suppose. You have some hours in which there's
15 heavier traffic, other hours in which there's lower traffic.

16 A Agree.

17 Q Is that correct?

18 A Yes.

19 Q How often in the normal state of affairs, how often
20 would your business operate at -- would each of your
21 locations operate at one hundred percent capacity?

22 A Pre Covid?

23 Q Yes.

24 A Usual peaks are between 2:00 p.m. and 4:00 p.m. and
25 then again from 6:00 p.m. to 10:00 p.m.

1 Q Each day?

2 A Yes.

3 Q Do you keep any kind of record as to the number of
4 visitors daily at each location?

5 A I don't have an actual record. It's been mostly
6 learnings from experience because I did work the main store
7 for the first four years of operation but I don't have an
8 actual record of visitors, no.

9 Q Given these restrictions which are big challenges by
10 you and other plaintiffs in this case, how often have you
11 had to turn customers away from your locations to comply
12 with the fifty percent?

13 A I can't give you that exact number. I don't have it on
14 me. I want to go back to the previous question. I don't
15 have a number of exact persons but I do have a transaction
16 log that tells me all the transactions that have been done
17 in each of the stores.

18 Q Yes but the fifty percent capacity is a physical body
19 limit. It's not a transaction limit.

20 A Yes.

21 Q Would you say that these restrictions that are in place
22 have received wide publicity in the general population and
23 press, social media, ads by the government?

24 A Yes.

25 Q People are knowledgeable about these?

1 A I think most of my clients are aware.

2 Q Most of your clients are aware?

3 A Yes.

4 Q Would you say most of your clients are aware that this
5 is a situation beyond your control; that this is not an
6 arbitrary measure you're taking as a businessman, as a
7 business person?

8 A I have always operated lawfully, so I do follow
9 regulations and all laws that are imposed by the government,
10 so, yes, I operate within the boundaries of the law.

11 Q Yes, but the question was, are your clients aware that
12 these restrictions are not --

13 A I'm sorry. I couldn't understand your question. Would
14 you repeat it.

15 Q My question was that are your clients, based on your
16 experience and your day-to-day contact with them, the way
17 you see they react when you have to intervene with them in
18 some way. Are they aware that these restrictions are beyond
19 your control as a business person; that this is a government
20 imposed mandate?

21 A I can't speak for my clients' thought process but I
22 do believe it's been made public.

23 Q It is public.

24 A Yes.

25 Q It's received wide publicity.

1 A Yes.

2 Q Press, media, et cetera.

3 A Yes.

4 Q So, if your clients are somewhat aware that this is
5 beyond your control and if your competitors are subject to
6 the same restrictions, so basically these restrictions do
7 not in any way affect your business name or your good will.
8 Do you agree with me?

9 A No, I disagree because we are a destination business.
10 We are not a need business. I mean, you go get ice cream as
11 a reward, as a treat, as something to look forward to. So,
12 it's not like they -- you don't need to go get ice cream.
13 It's a want.

14 Q Yes, but if or when they go to your competitors, they
15 face the same situation they face when they visit your
16 place. Isn't that correct?

17 A Depends if they're doing, asking for the VACU Id.

18 Q Well, we can only suppose that they're complying.

19 A Of course, they are doing A or B.

20 Q You state in your testimony that you choose the fifty
21 percent limit as the lesser of two evils. Is that right?

22 A Yes.

23 Q In terms of chronology, when approximately did you make
24 that decision?

25 A As soon as the Executive Order came out, I conferred

1 with my spouse. She's my biggest counselor. I do take her
2 counsel very seriously and I said, "look, I don't feel
3 comfortable doing this, asking people for medical
4 information. So, I believe I'm going to take the fifty
5 percent capacity as my option" and I'd say within the 24
6 hours that it was announced, I made that decision.

7 Q In terms of dates, can you give a somewhat precise
8 date as to when that you choose to have that restriction,
9 operate under that restriction as opposed to the other
10 option?

11 A One day after the Executive Order was announced,
12 August 16, 17.

13 Q August of this year?

14 A Yes.

15 Q You made reference in your testimony to personal
16 experience you had went to a restaurant with your family and
17 your daughter felt somewhat awkward. I take it from your
18 testimony that his happened fairly recently.

19 A Probably in September, two or three months ago.

20 Q Was it around the time the fifty percent limit went
21 into effect?

22 A Yes, it was afterwards.

23 Q Your decision was based on that incident or you had
24 made that decision prior to that incident?

25 A My decision was made prior to that.

1 Q Prior to that incident?

2 A Yes.

3 Q You mentioned that the Executive Order, the one
4 currently placed, I think you described it in general terms
5 as big. There are things you don't understand. It seems
6 they are somewhat ambiguous.

7 A No, I actually -- I read the Executive Order. I'm not
8 a lawyer and I mentioned that it's unclear and ambiguous
9 because there's been so many changes to each Executive Order
10 that sometimes normal business people might get confused.

11 Q Did you seek legal advice?

12 A On fifty percent versus --

13 Q On the meaning of the Executive Order and how it
14 impacted your business.

15 A Oh, yes, I did.

16 Q You did?

17 A Yes, from ASORE, the Restaurant Association.

18 Q After that, what you did not find clear, became clear?

19 A Yes.

20 Q Would you agree with me that in light of the Covid
21 crisis, even if the Executive Orders were not in place, even
22 if this limit we've been discussing were not in place,
23 customers to some extent would refrain to visit your place
24 and similar establishments to avoid the risk of contagion?

25 A Not at this moment. I don't agree with the statement.

1 Q So, if the Executive Orders were not in place, you
2 would be operating under normal numbers in terms of
3 visitors? Is that your testimony?

4 A I do believe that if the Executive Order was not in
5 place, I would probably have higher traffic and higher
6 transactions.

7 Q All the way up to normal?

8 A Not probably normal but I'd say closer to 2-4 percent
9 versus 19 percent.

10 Q Those figures are based on information on operation and
11 the totality of the experience --

12 A No, no, sales figures, sales.

13 Q Sales.

14 A Hard sales.

15 Q Income, you mean?

16 A Sales.

17 Q Sales, not number of visitors.

18 A Sales.

19 Q You testified about your business being a small
20 business and that bigger businesses and in somewhat better
21 position to face this situation without as much impact on
22 the sales. Are you advocating for some kind of preference
23 for your type of business?

24 A No, no.

25 Q So, we're in agreement that both your size of business

1 and bigger size would operate and that should operate under
2 the same restrictions.

3 A I agree.

4 Q Have you considered the option of adding outside tables
5 to your other locations, other than the Dorado location and
6 increasing your capacity to have visitors stay in your place
7 and enjoy your experience?

8 A I have added outside tables in one of the locations
9 where it's physically possible. I can't add a lot of tables
10 because it's a hallway but in my other store it's not
11 physical possible. It's in the parking lot and I can't do
12 it.

13 Q You have added outside tables where?

14 A In the Guaynabo store.

15 Q Guaynabo and not in the San Juan store?

16 A No.

17 Q Guaynabo is located in a shopping center.

18 A In a strip mall, yes.

19 Q Strip mall.

20 A Yes.

21 Q As opposed to San Juan.

22 A San Juan is in a stand alone.

23 Q It's a stand alone.

24 A Yes.

25 Q How many have you added in Guaynabo?

1 A Two.

2 Q Has that increased your capacity to comply with the
3 fifty percent restriction in terms of number of visitors?

4 A The two tables they have to be right next to the wall,
5 so it's two tables that can't hold four persons. They can
6 only hold two. So, it's a smaller footprint. So, instead
7 of eight additional customers, I can only add four.

8 Q Has that improved your situation in terms of sales?

9 A No.

10 MR. CINTRON: Your Honor, I'm going to confer with
11 co-counsel.

12 THE MAGISTRATE: Sure.

13 MR. CINTRON: No further questions, Your Honor.

14 THE MAGISTRATE: I would like to ask some
15 additional questions. Sir, I'm assuming but correct me if
16 my assumption is wrong. The people who walk into an ice
17 cream parlor can, sometimes they would prefer to eat it at
18 the store and sometimes they just simply buy it to go.

19 THE WITNESS: That's correct.

20 THE MAGISTRATE: My question to you is the
21 following. If say -- if you have people walking to your
22 store. I'm not talking about drive thrus, I'm talking about
23 actually entering the store, does the fifty percent cap
24 apply to those who are buying to go or does it apply only to
25 those who are going to sit in there to eat?

1 THE WITNESS: The cap applies to any person that
2 comes inside the location.

3 THE MAGISTRATE: So, if for example, for whatever
4 reason there's no drive thru or I don't want to use the
5 drive thru, if there is one and you already have maximum
6 capacity occupying the store because you agreed to the fifty
7 percent limit, what do you do? Do I have to wait literally
8 outside the store until the customers that you have inside
9 the store finish eating their ice cream?

10 THE WITNESS: My employees have been instructed to
11 as soon as we reach capacity to not let anyone else inside
12 the store and instruct the customer that is trying to get
13 into, please wait outside until one person comes out.

14 THE MAGISTRATE: Even if that customer tells you,
15 "look, I'm not going to eat it inside. I'm just simply
16 going to buy it to go"?

17 THE WITNESS: I comply with the law, so even if
18 it's just to go, you have to wait outside.

19 THE MAGISTRATE: But if I go to the grocery store
20 to buy ice cream to go then I don't have any problem, right?
21 There's no fifty percent cap.

22 THE WITNESS: You said it. I didn't.

23 THE MAGISTRATE: No, I'm asking you. I'm not the
24 one testifying. I'm asking you. Can I do that? Can I go
25 to a grocery store and buy ice cream to go and there is no

1 fifty percent cap there?

2 THE WITNESS: You can go to the grocery store, the
3 gas station and buy ice cream with no capacity and the
4 grocery store.

5 THE MAGISTRATE: Oh, I can do that also at the gas
6 station.

7 THE WITNESS: Yes.

8 THE MAGISTRATE: But not in an ice cream parlor,
9 even if I tell your employees, "look it's to go."

10 THE WITNESS: Yes.

11 THE MAGISTRATE: Are there any other questions for
12 this witness? If you're going to ask any other questions on
13 redirect, please use the lectern. If you're going to do any
14 redirect.

15 MR. BAUERMEISTER: No, I know, Your Honor. I'm
16 just thinking whether we're going to --

17 THE MAGISTRATE: Well, take a moment. Consult with
18 your co-counsel. Do you want to redirect?

19 MR. BAUERMEISTER: Quick redirect, Your Honor.

20 THE MAGISTRATE: Go ahead.

21 MR. BAUERMEISTER: Thank you.

22 REDIRECT EXAMINATION

23 BY MR. BAUERMEISTER:

24 Q Mr. Vega, can you explain if there's a difference and
25 what is it between ordering a custom made ice cream like the

1 one you sell or regular ice cream somewhere else?

2 MS. DIAZ: Objection, Your Honor, outside of the
3 scope of cross examination.

4 THE MAGISTRATE: Well, I have to admit that I don't
5 recall on cross examination anybody asking about the types
6 of ice creams and qualities but I will allow you some leeway
7 but let's get quickly to the point. All right. You may
8 answer the question but let's get quickly to your point.

9 THE WITNESS: My ice cream, I have a marble slab
10 that I mix the ingredients that the customer wants to order.
11 So, say if you want a cookies and cream ice cream, I get the
12 basic vanilla ice cream, put it on the slab and then put the
13 cookies and cream.

14 I don't have those ice creams pre-made so I have to
15 make each order as it is ordered by the clients.

16 BY MR. BAUERMEISTER:

17 Q Would it be fair to say that most people don't call in
18 to order an ice cream and pick it up?

19 A No, it would be fair to say that. Most people go to
20 the location to get their ice cream.

21 Q As opposed to say burgers, you know, you agree with
22 that?

23 A Yes.

24 Q Brother counsel asked you about your opinion on
25 mandates, whether they should be imposed the same way on

1 large or small businesses. Are you -- from what your
2 testimony what you said was that they shouldn't apply to any
3 of them, right? You don't mean to get a special treatment
4 as a small business.

5 A I don't mean to get special treatment. I want fairness
6 to be distributed equally.

7 Q But in practice, would you agree with me that the
8 impact is different?

9 A Oh, of course.

10 Q Okay. Can you talk about briefly about your fixed cost
11 and your variable costs and to explain to the Judge how that
12 affects your numbers.

13 MS. DIAZ: Objection, Your Honor. Once again
14 outside of the scope.

15 THE MAGISTRATE: Counsel, there were a few
16 questions regarding his numbers and decrease, so I'll allow,
17 I'll allow. Contrary to your first objection, I will
18 acknowledge that the previous one did have merit. I will
19 acknowledge that but this one I believe that there is, there
20 were some, at least one or two questions on cross that would
21 merit this kind of question. Go ahead.

22 THE WITNESS: Fixed costs versus variable, here
23 fixed costs are very high. Rent is something that's all
24 throughout the Island has been increasing steadily for the
25 past ten years. So, those are -- that's the main fixed

1 cost.

2 Variable costs are employees and you know that with a
3 minimum wage going up, that's a huge challenge right now.
4 Electricity costs are insane in this Island and I belong to
5 an Ice Cream Council for the franchise and when they hear
6 our energy costs here, they go crazy. Also the food cost,
7 they're continuously increasing.

8 So, when I say that I think it's a bigger challenge for
9 small businesses, I mean that I don't have as deep pockets
10 to say a corporation that owns one hundred restaurants. So,
11 me owning three stores, it does provide a bigger challenge
12 since I don't have the cash flow and funds that other
13 corporations might have.

14 Q Just a last question. Brother counsel asked you about
15 you adding new outside tables to one of your stores.

16 A Uhum.

17 Q Can you explain and I might have asked you about this
18 but just to clarify, the fact that you have more tables
19 necessarily equals more sales and put differently, does
20 everyone who order an ice cream sits on the table?

21 A No.

22 Q Okay.

23 A Those tables that were added in the Guaynabo location,
24 I had to get a special permit from the owners of the strip
25 mall to put those tables on. So, whenever they decide that

1 they don't -- I'm the only establishment with the tables
2 outside, so whenever they decide that they don't want my
3 tables outside anymore, I'm probably done.

4 Q Just to clarify the obvious, they can't order from that
5 table outside.

6 A No, they have to go inside to order.

7 MR. BAUERMEISTER: No further questions.

8 THE MAGISTRATE: You're excused, sir. Thank you.

9 THE WITNESS: Thank you. Have a good day.

10 THE MAGISTRATE: Likewise.

11 MS. DIAZ: Your Honor, if I may I have a --

12 THE MAGISTRATE: Oh, you want to do recross?

13 MS. DIAZ: Yes.

14 THE MAGISTRATE: Yes, okay, go ahead but I'll say
15 this. I'll give you leeway this time simply because I did
16 not announce this previously but I have what you can call
17 one player behind the home plate rule, which basically means
18 if Mr. Cintron did the cross, then he would be the one
19 making the recross.

20 MS. DIAZ: I understand.

21 THE MAGISTRATE: Just like if Mr. Bauermeister did
22 the direct, he's the one doing the redirect. So, but I had
23 not announced that previously. So I'll give you the break
24 this time but from the next witness onward, we'll apply the
25 one player behind the home plate rule.

1 A That is correct.

2 Q You also testified that you're losing sales because
3 people sometimes won't stand outside in a line and they
4 would just leave.

5 A That is correct.

6 Q Okay and the fact is that you could have more sales
7 should you implement that your clients -- your employees, if
8 your Dorado store is full inside, can take the order from
9 the customer outside and deliver it and they don't have to
10 go.

11 A They can't take the order because the order is taken
12 on a POS system and the POS system is within the store.

13 Q So, your testimony is that they cannot get a piece of
14 paper and write down the order.

15 MR. BAUERMEISTER: Your Honor, objection.

16 THE MAGISTRATE: No, no, it's cross. Let's allow
17 the witness to -- or recross I should say. Let's allow the
18 witness to answer.

19 THE WITNESS: It could be done but it leads to
20 further mixups and I like to keep my systems in check to
21 minimize mistakes.

22 BY MS. DIAZ:

23 Q So, you're clear. The employee cannot get a clear
24 order from the customer in order to deliver the correct
25 order outside and so you can have more sales?

1 A It could be done. I don't do it because I like to keep
2 my systems in place to minimize mistakes.

3 Q But it's an option that you have available and you
4 simply choose not to do it.

5 A Yes, I choose not to do it because I like to keep my
6 systems in place.

7 Q You are aware that that would help you increase your
8 sales.

9 A No, I am not aware of that.

10 Q You do not think that right at this moment, that
11 implementing that simple procedure can give you more sales
12 and more income?

13 A I'm not aware of that, no. I don't believe that.

14 Q You don't believe that?

15 A No.

16 Q Selling to more clients that are not willing to wait in
17 line will not increase your sales?

18 A That would incur in me having additional employees
19 going outside to take orders.

20 Q The same employee that is inside not attending
21 customers because your store is already full cannot go to
22 the door to take an order from a client that is waiting.

23 A Usual if I have -- in that store I have a maximum of
24 two employees because I can't have anymore. If I have two
25 employees taking care of three customers inside the store,

1 how am I going to get one of my employees to go outside and
2 take care of a customer and not taking care of the one
3 inside.

4 Q From the ones that are sitting down already eating?

5 A Sorry?

6 Q From the ones that are -- your clients that are already
7 inside eating.

8 A No, they're not inside because that place does not have
9 tables inside. So, the clients that order have to go
10 outside to consume their ice cream. So, if I have two
11 employees, I would have to incur in an additional employee
12 and that's additional cost of having that employee outside
13 of the store taking orders on a piece of paper and pen.

14 Q Would you agree with me then the losses that you
15 testified earlier are way bigger than what you would have to
16 invest in an additional employee taking orders from the
17 people that are waiting outside?

18 A I can't foretell the future of situations that
19 haven't --

20 Q I'm not asking you to foretell the future. I'm just
21 asking you number wise. You're a businessman, number wise.
22 Comparing the losses that you testified that you have,
23 versus an additional employee that you would have to pay a
24 minimum wage.

25 A I don't pay minimum wages.

1 Q You would have to pay less than ten dollars now, right?
2 Taking orders outside that employee would make your more
3 than ten dollars an hour.

4 A I don't agree. In the Dorado store, the largest losses
5 partaking in all the three stores are in San Juan. The
6 Dorado store is the one that has had the less sales loss
7 because it's a small store and the two employees can manage
8 it pretty well.

9 Customers have turned away when there is a line outside
10 but I do not agree that if I have an additional employee
11 outside paying him, him or her, ten dollars an hour, I would
12 probably incur in bigger sales. I disagree with that
13 statement.

14 Q So, the fact is that the Dorado store is not your
15 biggest problem even if you only can have three customers
16 inside.

17 A Dorado is not my biggest problem.

18 Q The one that you would have a bigger problem is the
19 one in Senorial.

20 A The one in San Juan, yes.

21 Q The one that only has well, about two parking spots
22 in front of the store.

23 A It has three parking spots in front and five parking
24 spots in the back. So, I have a total of eight parking
25 spots, one handicap.

1 Q You do not have drive thru?

2 A I do have a drive thru there, yes.

3 Q Even if you have a drive thru that the people do not
4 have to go inside, that is the one where you're having the
5 bigger losses.

6 A That is the one that I've had the biggest losses, yes.

7 Q Even if the people choose to go through the drive thru,
8 practically those amounts of people do not have to comply
9 with the fifty percent.

10 A No.

11 Q So, it's a matter of sales, not a matter of
12 availability of going inside the store.

13 A Well, it's again as I mentioned previously, it's a
14 destination experience. People do get their ice cream in
15 the drive thru but people do enjoy taking their kids or
16 their grandparents to go eat an ice cream cone in a store.

17 It's who here hasn't gone on Sunday after mass or after
18 a family reunion, "let's go get an ice cream cone" and they
19 go to the place and mostly eat it there.

20 MS. DIAZ: I have no more questions, Your Honor.

21 THE MAGISTRATE: You're excused, sir. Thank you.

22 THE WITNESS: Thank you.

23 (Witness excused)

24 THE MAGISTRATE: All right. Mr. Bauermeister, is
25 your next witness going to testify in person or by Zoom?

1 MR. BAUERMEISTER: Via Zoom, Your Honor. May I ask
2 Attorney Shapiro if Dr. Hay was able to -- oh, there he is.
3 Okay, I think that answers my question.

4 THE MAGISTRATE: Okay, well, I can see Mr. Shapiro
5 in a very big screen but the witness in a very small screen.
6 Now, no offense to Mr. Shapiro but I would --

7 MR. SHAPIRO: Yes, Dr. Hays is much more important
8 than me, Your Honor.

9 THE MAGISTRATE: I would prefer this to be
10 inverted. I would prefer to have the witness in a big
11 screen and Mr. Shapiro in a small screen simply because I
12 would like to be able to observe the witness better. Mr.
13 Rodriguez, what can be done about this?

14 MR. RODRIGUEZ: I'm calling Mr. Segui to see if we
15 can fix this.

16 THE MAGISTRATE: All right. Well, okay.

17 MR. SHAPIRO: In the meantime, Your Honor, my co-
18 counsel, Mr. Davila, has some exhibits that he's marking and
19 wants to -- that would help me with my examination.

20 THE CLERK: This is a technical issue. Maybe
21 it's when the on-mute is on that's when the screen
22 maximizes. Could we perhaps give it a try just -- well, I
23 mean whatever works is fine with me. Let's see. I also
24 can't hear the witness.

25 THE WITNESS: If I talk does my face get bigger?

1 THE MAGISTRATE: Okay, there you go. All right.
2 Okay, maybe that's just simply a matter that whoever is
3 talking gets the bigger screen.

4 MR. SHAPIRO: Yes, Your Honor.

5 THE MAGISTRATE: Okay, all right. Okay, so who is
6 going to be conducting the direct examination of the next
7 witness.

8 MR. BAUERMEISTER: Attorney Shapiro.

9 MR. SHAPIRO: I will, Your Honor.

10 THE MAGISTRATE: All right. Well, go ahead. You
11 may call your next witness to the witness stand.

12 MR. DAVILA: Your Honor, I'm just marking the
13 exhibits. It's just a quick -- can you give me like two
14 minutes and I will be done, let's put it this way.

15 THE MAGISTRATE: Okay. Of course, I'm assuming
16 that you have shown these to opposing counsel.

17 MR. DAVILA: No, but I can.

18 THE MAGISTRATE: Well, please do so. Please show
19 any documents to opposing counsel.

20 MR. SHAPIRO: In the meantime I think, Your Honor,
21 we can call Dr. Joel Hay and have him sworn.

22 THE MAGISTRATE: Excellent. Good idea.

23 MR. SHAPIRO: We'd like to call Dr. Joel Hay.

24 THE MAGISTRATE: Let's place the witness under
25 oath.

1 (The witness was duly sworn)

2 THE MAGISTRATE: Doctor, could you please state
3 your full name for the record.

4 THE WITNESS: My name is Joel Walker Hay.

5 THE MAGISTRATE: Okay. Well, we're ready to pursue
6 with our examination but I want to give Mr. Davila an
7 opportunity to finish with his identifications. I don't
8 know if Mr. Shapiro, if you want to get started or if we
9 should wait one more minute to give Mr. Davila an
10 opportunity to finish pre-marking the exhibits.

11 MR. SHAPIRO: I won't be using the exhibits for a
12 little while, so I think in the interest of time we can
13 probably get started.

14 THE MAGISTRATE: Excellent.

15 MR. SHAPIRO: Your Honor, we're going to be
16 offering Dr. Hay as an expert in Health Economics. So, my
17 first line of questioning will establish his background in
18 that regard before we formally offer him.

19 THE MAGISTRATE: All right.

20 Whereupon,

21 JOEL WALKER HAY

22 was called as a witness and after having been first duly
23 sworn, was examined and testified as follows:

24 DIRECT EXAMINATION

25 BY MR. SHAPIRO:

1 Q First of all, Dr. Hay, starting with your education,
2 please tell us your background and qualifications in Health
3 Economics.

4 A Sure. I graduated with a Bachelor's degree in
5 Economics and Math from Amherst College, summa cum laude. I
6 then went to Yale to get a PHD in Economics, which I got in
7 1980. My first job was Assistant Research Professor at the
8 University of Southern California from 1978 to 1980.

9 Then I was a tenure track Assistant Professor at the
10 University of Connecticut Health Sciences Center as a health
11 economist for a couple of years. Then I moved on and went to
12 a think tank in the D.C. area for health policy called the
13 Center for Health Affairs. I was there for about four
14 years.

15 I then went to another think tank at Stanford
16 University called the Hoover Institution. I was there for
17 eight years. Ever since I've been a Tenure Professor and
18 founding chair of the Department of Pharmaceutical Economics
19 and Policy.

20 Q What is your relationship to the American Society for
21 Health Economics?

22 A I would say Founding Board Member and Member of the
23 American Society for Health Economics.

24 Q What is your publication record of scientific or
25 economic papers?

1 A I have over six hundred peer reviewed scientific
2 citations, sorry, publications and over eleven thousand
3 citations in the peer reviewed scientific literature.

4 Q I've certainly reviewed your CV and there's lots of
5 journals there that I've never heard of before because I'm
6 of course a lawyer. Have you published in journals that even
7 lawyers have heard of?

8 A Well, certainly New England Journal of Medicine, JAMA,
9 Lancet, Gen Intro Medicine, things like that.

10 Q Okay. What's the difference between your expertise and
11 what medical doctors study?

12 A That's an excellent question. I'm not a Clinician
13 although I've taught thousands of clinicians on my
14 methodology and research, including doctors, nurses, in the
15 course of the last 33 years.

16 Thousands and thousands of Doctors of Pharmacy since my
17 main appointment is in the USC School of Pharmacy with the
18 joint appointment of Department of Economics. I also have
19 an appointment as a Senior Fellow at the Shafer Center for
20 Health Policy and Economics at USC.

21 The difference between the way we look at data in the
22 Healthcare field to make policy recommendations and to
23 decide which treatments work and which treatments don't
24 work, which treatments have value, which treatments don't
25 have value, is through the use of big data.

1 Physicians look at patients one by one and they are
2 somewhat trained in statistics but most of them have minimal
3 statistical training. My training and my teaching for the
4 last forty years is a branch of statistics that economists
5 call Econometrics and the reason that we use this is because
6 we can rapidly use real world data from huge administrative
7 data bases like insurance claims, Medicare, Medicaid, the
8 VA, state programs, prison programs.

9 We can look at millions, literally millions of patients
10 as they submit claims through the system and we can derive
11 insights from those millions and millions of claims that
12 simply cannot be derived from clinical trials --

13 THE MAGISTRATE: Pardon the interruption, Dr. Hay.
14 I don't know. Does somebody have a cell phone on or
15 something to that effect?

16 THE WITNESS: Actually, Your Honor, I think that's
17 my watch, the SIRI on my watch. Let me take it off.

18 THE MAGISTRATE: Okay, well brave new world we're
19 living in where watches, you know, interject in courtroom
20 proceedings. You may proceed, Dr. Hay.

21 THE WITNESS: All right. So, we can use these big
22 data sets and we can find rapidly the value of treatments,
23 vaccines, diagnostics, interventions of all different types.
24 The drawback of using real world data is it often can have
25 problems of internal validity because what happens in the

1 real world is people get treatments based on aspects of
2 either them; that is their own personal medical history,
3 their genetics, other personal characteristics or aspects of
4 the doctor in terms of the doctor's own preferences, the
5 doctor's own training, the doctor's own experience and so
6 what we see is very different from what the doctor sees.

7 We don't see all those unobservables but what we have
8 developed in Econometrics over the last fifty years are very
9 powerful methods to both detect what we call unobservable
10 confounding bias or selection bias and so we can be very
11 confident that our conclusions are highly valid and we
12 actually think that in many cases we can provide much better
13 insights into treatments, outcomes and derive conclusions
14 for medical policy that may actually have great additional
15 value to what the doctors can give us.

16 Q Do you have experience working with or advising or
17 presenting to public health authorities?

18 A Absolutely. I've presented to the CDC on the Aids
19 epidemic about four years ago. I've presented to the FDA
20 several times. I've presented to the California Medicaid
21 Program.

22 I've presented to the San Diego County Health
23 Department, the Homeless Program of Sacramento, the
24 government of Hungary, the government of Hong Kong and many
25 other perhaps less formal presentations to other government

1 agencies.

2 Q Have you ever been an expert witness in legal cases?

3 A Yes, I've written hundreds of legal expert reports.

4 I've been deposed over one hundred times in legal

5 proceedings, including Ireland, Canada but mostly U.S. and

6 I've ben in dozens and dozens of trials.

7 Q What have you been asked to do by our legal team?

8 A I've been asked to comment, evaluate and comment on

9 the trends of Covid 19 cases, hospitalizations, deaths and

10 outcomes in the Commonwealth of Puerto Rico during the

11 lockdowns and to comment on the effectiveness of the vaccine

12 mandates.

13 Q Are you being paid for your time or your work in this

14 case?

15 A No, I'm doing this pro-bono.

16 MR. SHAPIRO: Your Honor, we offer Dr. Hay as an

17 expert in Public Health Economics.

18 THE MAGISTRATE: Any objection?

19 MS. DIAZ: No, Your Honor.

20 THE MAGISTRATE: Admitted as an expert in Public

21 Health Economics.

22 MR. SHAPIRO: Thank you, Your Honor.

23 BY MR. SHAPIRO:

24 Q Dr. Hay, let's start by talking about vaccination rates

25 in Puerto Rico. So, starting with the time when the

1 original Executive Orders requiring vaccination mandates
2 went into effect and in the intervening months, what can you
3 tell us about vaccination rates and trends?

4 A Vaccination rates improved dramatically this year from
5 spring until September, achieving well into the eighty
6 percent of those eligible for vaccines in the Commonwealth
7 of Puerto Rico.

8 The vaccine status is pretty well saturated and since
9 the latest Executive Order in mid November, there's been
10 only about .7 percent increase in vaccines in the Puerto
11 Rican population but Puerto Rico is either the highest or
12 second highest vaccinated state or territory in the United
13 States.

14 Q Was that the case before the vaccine mandate went into
15 effect?

16 A Yes.

17 Q What impact do you think that the vaccine mandates have
18 had on the rate of vaccination in Puerto Rico?

19 A It's negligible. It's fairly detectable. Like I say
20 it's between .4 and .7 percent.

21 Q How can you tell that? How do you know what the
22 account or factual would have been without having the
23 mandate in place?

24 A Well, I mean the vaccine rate can't go down, so if it's
25 already I believe around eighty three percent of those

1 eligible prior to this latest Executive Order, it's unclear
2 that it could have gone -- I mean, it would have gone up I
3 would think by .4 to .7 percent even without a mandate.

4 I'm sure there's enough people out there that are
5 concerned about the Delta variant and the Omicron variant.
6 So, it's unclear that the mandate had any effect at all
7 counter factually.

8 Q Okay, Dr. Hay, I'd like and Your Honor, I'd like to
9 refer the Court to the first three exhibits, A, B, C. I
10 assume they're A, B, C. Maybe Mr. Davila will mark them 1,
11 2, 3. But the first three charts.

12 THE MAGISTRATE: Well, we usually have plaintiffs
13 mark with numbers rather than letters. Did you choose
14 numbers?

15 MR. DAVILA: Numbers.

16 THE MAGISTRATE: Great. So, have you shown these
17 to opposing counsel? Yes?

18 MR. DAVILA: Yes, Your Honor.

19 THE MAGISTRATE: All right.

20 MR. DAVILA: I may need to help brother counsel to
21 project.

22 THE MAGISTRATE: Oh, that's fine. I don't have any
23 problem with that. I understand. You know, that's part of
24 this hybrid hearing that we're holding. I don't have any
25 problem if you project them in the document projector,

1 that's fine. So, you're showing now Id 1, Id 2, Id 3.

2 Now, again, I don't know if the parties -- I'm
3 assuming, unless you indicate to me otherwise, that these
4 are merely Ids and not exhibits yet admitted into evidence.

5 Now, again, if the parties have reached stipulations as
6 to admissibility, then we can just simply mark them as Ids,
7 excuse me, as exhibits.

8 Okay. All right, so right now what we have is Id 1, Id
9 2, Id 3. You may, if you need to place a document in the
10 projector, you can go ahead and do that. I don't know if
11 Dr. Hay, can you see that? In my screen it's very tiny to
12 be very candid with you, so I don't know if -- okay, now
13 that's better.

14 MR. SHAPIRO: He should have his own copies with
15 him anyway.

16 THE MAGISTRATE: Okay.

17 THE WITNESS: I can see it. I can see it pretty
18 well.

19 THE MAGISTRATE: All right. Okay, so this would be
20 Id 1, correct?

21 MR. SHAPIRO: Yes.

22 THE MAGISTRATE: All right, go ahead, Mr. Shapiro.
23 You can go ahead and proceed with your examination of the
24 witness.

25 MR. SHAPIRO: Thank you.

1 BY MR. SHAPIRO:

2 Q Dr. Hay, this re-encapsulates, I think, things that
3 you've just said but can you just describe what this chart
4 shows.

5 A Yeah, this chart is the Covid 19 vaccination coverage
6 by age group in Puerto Rico. So, we have in the first
7 column to the left, we have the age 5 to 19. Then it's
8 broken out basically by decades all the way up to 80+ and in
9 every group except for the 5 to 19 year olds who are, of
10 course, the least effected by the severe impacts of Covid.

11 The vaccination rate is well over 85 percent. If you
12 look at the blended overall vaccination rate in Puerto Rico,
13 I believe it's about 78 percent, which according to most
14 experts, is sufficient to have herd immunity.

15 Q You mentioned, you testified that you call the vaccine
16 saturation. What do you mean by that and what percentage
17 reaches, constitutes saturation and why do you think the
18 percentage can't go higher all the way to a hundred?

19 A Well, I think it's going to be increasingly difficult
20 and burdensome. Once you've already achieved such a high
21 saturated rate of vaccine, we've already seen it, the
22 Executive Order on November 15, we've only seen a tiny
23 increase from that mandate.

24 There's no evidence that as we will, I think, show with
25 some of the future slides, there's no evidence that

1 increasing the vaccine rate as has been done since September
2 and marginally since November 15, has done anything at all
3 to the hospitalization rates, death rates and other things
4 in the Commonwealth of Puerto Rico and as we'll also point
5 out, there are other mitigating ways of dealing with Covid,
6 particularly in an island like Puerto Rico, where egress and
7 people coming in is much harder than other states.

8 So, you will -- it's just there are other ways to do it
9 and it's pretty clear that even though Puerto Rico has the
10 highest vaccination rates in the country, you're just going
11 to get more and more additional burdens to try and get a few
12 more people vaccinated and there's no reason why you are
13 going to do that given the other data we'll look at.

14 MR. SHAPIRO: Your Honor, I move to admit Id 1 as
15 Exhibit 1.

16 THE MAGISTRATE: Any objection?

17 MR. CINTRON: Your Honor, the document does not
18 contain a date. We do not, we cannot see exactly at what
19 point in time that the document referred to. So, it may be
20 yesterday or a year ago.

21 MR. SHAPIRO: It was December 1, when we last
22 pulled this data.

23 THE MAGISTRATE: Okay, so --

24 MR. CINTRON: Not from the face of the document.

25 THE MAGISTRATE: Okay, I'm sorry, but let's hear

1 from the witness. Dr. Hay, is this a chart in Id 1 that is
2 based on data that you retrieved on December 1 of this year,
3 2021?

4 THE WITNESS: Yes, sir.

5 THE MAGISTRATE: All right. I think that
6 clarifies. I understand, Mr. Cintron, that the document
7 itself may not have the date but the witness has clarified
8 the date. So, on those grounds, I will overrule the
9 objection and admit it as Exhibit 1.

10 (Plaintiff's Exhibit 1 was admitted
11 into evidence)

12 MR. SHAPIRO: Thank you, Your Honor. I think the
13 rest of our exhibits I think do have the date there and that
14 leads me to, yes, to ask to show Id 2.

15 BY MR. SHAPIRO:

16 Q Dr. Hay, could you please just quickly tell us what
17 this represents.

18 THE MAGISTRATE: I'm sorry, pardon the
19 interruption. Just for your benefit when you're testifying,
20 I can't read a thing from the screen of what's below each
21 one of these columns. So, I'm just simply -- okay, now I
22 can see a little better.

23 Okay, so I'm just simply, just -- you might want to
24 keep that in mind when you're testifying. I don't know. I
25 mean, I can -- it looks like there are names of certain

1 states, what it appears to be but you might just simply want
2 to keep that in mind. All right. So, you may go ahead with
3 your examination. Go ahead.

4 MR. SHAPIRO: Your Honor, would it be possible
5 to have photocopies of all of these for distribution both to
6 Your Honor and to opposing counsel?

7 THE MAGISTRATE: Well, maybe so but not right now.
8 I mean maybe that can be done in a recess, in a break but
9 we're trying to maximize right now the time and I'm trying
10 to keep at a minimum the interruptions on the testimony.

11 So, can that be done, yes but maybe whenever we take a
12 break or a recess might be a good moment for that. Okay.

13 MR. SHAPIRO: Understood, understood. Thank you.

14 BY MR. SHAPIRO:

15 Q So, Dr. Hay, understanding that most people who are
16 going simply by viewing the screen can't read the little
17 type, can you just describe what this chart shows.

18 A Sure. This chart shows the number of vaccine doses
19 administered per one hundred thousand residents across all
20 the states and territories of the United States and as you
21 said, the columns each represent a different state and
22 territory by the number of doses of vaccine per one hundred
23 thousand residents and you can see that Puerto Rico as of
24 December 1, remained as it has for several months, second in
25 doses administered only to the State of Vermont.

1 Q Just to be clear, Puerto Rico was, you know, first or
2 second or anyway at or near the top even before the vaccine
3 mandate went into effect?

4 A Absolutely.

5 MR. SHAPIRO: Your Honor, I move the admission of
6 Id 2 as Exhibit 2.

7 THE MAGISTRATE: Any objection?

8 MR. CINTRON: None, Your Honor.

9 THE MAGISTRATE: Admitted, Exhibit 2.

10 (Plaintiff's Exhibit 2 was admitted
11 into evidence)

12 MR. SHAPIRO: I'll ask my co-counsel to put Id 3
13 up.

14 BY MR. SHAPIRO:

15 Q Dr. Hay, once he puts it up, please similarly describe
16 what it is and explain its significance.

17 A Yes. This slide represents the percentage of the total
18 population that is fully vaccinated, i.e. has had two doses
19 of the Moderna or Pfizer vaccine or one dose of the J&J
20 vaccine and here you can see that Puerto Rico ranks number
21 one of all states and territories. So, Puerto Rico is the
22 most vaccinated, fully vaccinated region of the United
23 States.

24 Q Was that the case before the vaccine mandates went into
25 effect?

1 A Yes.

2 MR. SHAPIRO: Your Honor, I move the admission of
3 Id3 as Exhibit 3.

4 THE MAGISTRATE: Any objection?

5 MR. CINTRON: None, Your Honor.

6 THE MAGISTRATE: Admitted, Exhibit 3.

7 MR. SHAPIRO: Okay. I'll ask my co-counsel to put
8 up Id 4.

9 BY MR. SHAPIRO:

10 Q Again, Dr. Hay, understanding that people can't really
11 read the small script, could you please explain these two
12 charts.

13 A Sure. These charts are the percentage of people in
14 Puerto Rico as of December 1, with at least one dose of
15 vaccine over the age of five, five or older, and of course,
16 the approval for people 5 through 11 is still not official.

17 There's an emergency authorization for the vaccine use
18 in people age 5 through 11 but the FDA has not approved
19 officially the vaccines for that age group.

20 Nevertheless, the rate at which people have been
21 vaccinated, as you can see from both of these charts and let
22 me explain that the first chart is the cumulative number of
23 people that are vaccinated and you can see that it's over
24 eighty percent and seeing the second chart, which is the
25 rate of change of vaccine coverage with at least one dose.

1 You can see that the vaccine trend as we've already
2 said is very, very slow and has not been impacted by the
3 mandate at all because if you look at the second graph, it's
4 basically flat.

5 The number of people newly getting vaccinated hasn't
6 changed before or after the mandate.

7 Q So, just to be clear on that second chart, which is
8 called first difference of percentage 5 plus years with at
9 least one dose. You're saying that when that line is
10 increasing then that is an increase in what and conversely
11 when it's decreasing or flat, what does that signify?

12 A When it's increasing it's like you're pushing the
13 accelerator on the gas peddle on your car and it's going
14 faster and faster. Obviously when it's decreasing, that
15 means you're putting on the brake and if it's flat, you're
16 just coasting.

17 Q So, again, with the first mandates going into effect in
18 mid August and then the latest one in mid November, what
19 does this first difference line tell us?

20 A That there is no negligible -- there's no impact at all
21 or negligible impact of mandates on vaccine coverage in
22 Puerto Rico for those five and older.

23 MR. SHAPIRO: Your Honor, I move the admission of
24 Id 4 as Exhibit 4.

25 THE MAGISTRATE: Just to be clear, Dr. Hay, when

1 you testified that, when you say five years and older, I
2 just want to make sure that you're not referring only to the
3 group of five through 11. You're referring to literally
4 five and older, so that includes adults.

5 THE WITNESS: That's correct, Your Honor.

6 THE MAGISTRATE: All right. Any objection?

7 MR. CINTRON: None, Your Honor.

8 THE MAGISTRATE: Admitted, Exhibit 4.

9 (Plaintiff's Exhibit 4 was admitted
10 into evidence)

11 BY MR. SHAPIRO:

12 Q Before we go to the next subject area which is about
13 testing and positivity rates, you mentioned again, I want
14 to refer to this concept of saturation and we see these very
15 high percentages of vaccination with Puerto Rico, you know,
16 at or near the very top among U.S. jurisdictions. How do
17 those concepts relate to herd immunity?

18 A Well, it's known from many sources, including the CDC
19 but also the Puerto Rico Health Department, that the anybody
20 levels of the Puerto Rican population is in the 90 percent
21 level and I don't think anyone questions at 90 percent or
22 higher is enough to give you herd immunity; that is to give
23 you protection for the entire population.

24 Now, keep in mind that these vaccines are not approved
25 to reduce transmission of the virus and, in fact, even Dr.

1 Fauci has said that the viral load among people that have
2 been vaccinated and among people that have not been
3 vaccinated is the same.

4 So, the vaccines do not stop transmission. What they
5 do stop is serious hospitalization and death and so, the
6 vaccines are a very good idea if you want to protect
7 yourself against hospitalization and death but they will not
8 and they're not FDA approved to reduce the transmission,
9 particularly Delta or Omicron.

10 Q Okay. Let's move to testing in Puerto Rico and
11 positivity rates, case rates. What can you say about these
12 metrics before we start looking at charts and case
13 statistics. How useful are measurements of testing and
14 positivity rates?

15 A All right, there are many, many problems with the
16 testing system for Covid both in Puerto Rico and everywhere
17 else. First of all, there are some concerns about the
18 quality of many of the PCR tests.

19 There are concerns with the way in which the tests are
20 operated, in particular the cycle thresholds for the PCR
21 tests. Basically PCR is a method where you pull a sample,
22 you cycle it, then you cycle it again and each cycle you try
23 and extract the purified fragments of the Covid virus and
24 this goes up exponentially so that the recommendations of
25 the major virology centers in the world are that you not

1 cycle more than thirty to thirty five times.

2 If you go past thirty five times, you may be picking up
3 contaminants from the air. You may be picking up tiny
4 fragments of dead virus that have nothing to do with
5 anything and so, unfortunately in Puerto Rico and many other
6 jurisdictions they're cycling thirty five to forty times and
7 even higher and if you cycle higher than forty, some people
8 have even said you can find Covid at and the batter's face.

9 Q So, just to be clear, you're saying that the way tests
10 are being performed creates a lot of false positives?

11 A Absolutely and what also creates a mis-perception of
12 the amount of positive people in Puerto Rico is two-fold.
13 One is that people that show up at a testing center have a
14 reason to show up at a testing center and so it's well known
15 that people that get tested in Puerto Rico and other
16 jurisdictions are more likely to be sick, more likely to
17 have Covid.

18 I mean, why would you go to a testing center if you
19 feel perfectly healthy? It's either because you have to fly
20 somewhere or you feel sick and you want to know what's
21 causing the illness.

22 So, it gives a false sense of how bad the disease is
23 because you're going to have a much higher positivity rate
24 in a self selected population that feels sick than you will
25 in a random sample of the Puerto Rican population.

1 What makes that specially worst in the Commonwealth of
2 Puerto Rico is that unlike every other jurisdiction that I'm
3 aware of in the United States, people generally have to pay
4 for these tests out of their own pockets and so, again,
5 you're not going to just go out and spend \$100 or more on a
6 PCR test unless you're either really rich or you're really
7 sick.

8 So, again, it tends to skew the population of those
9 being tested towards the sickest.

10 MR. SHAPIRO: Thank you. I'll ask my co-counsel to
11 put up ID 5 now, please. I apologize. There's a typo at the
12 top in the title. Territory is misspelled but --

13 BY MR. SHAPIRO:

14 Q Dr. Hay, could you please describe what this chart
15 shows and again, given the small font, what the various
16 jurisdictions are.

17 A Yes. This is again, it's got a bar chart with the
18 states and territories on the X-axis going across from left
19 to right and the number of tests per hundred thousand
20 residents and what you can see is that Rhode Island has the
21 highest rate of testing per hundred thousand residents with
22 essentially five PCR tests for every resident of Rhode
23 Island and again, as you might expect, based on what I said
24 about people in Puerto Rico having to pay out of pocket
25 unlike other states and territories, Puerto Rico actually

1 has the lowest rate of testing per hundred thousand
2 residents.

3 I believe it's less than one per hundred thousand, per
4 person or something along that line. So, it's five times
5 less than Rhode Island.

6 Q Just to be clear, what's the significance of Puerto
7 Rico having a very low level of testing?

8 A Well, again it means that people in Puerto Rico unlike
9 other jurisdictions are not going to get tested because it's
10 not free and so they're only going to get tested, they're
11 only going to shell out that money for the testing if they
12 feel that it really might be beneficial because they're,
13 let's say, very sick.

14 MR. SHAPIRO: Your Honor, I move the admission of
15 Id 5 as Exhibit 5.

16 THE MAGISTRATE: Any objection?

17 MR. CINTRON: No objection.

18 THE MAGISTRATE: Admitted. Exhibit 5.

19 (Plaintiff's Exhibit 5 was
20 admitted into evidence)

21 MR. SHAPIRO: I'll ask co-counsel to put up Id 6.

22 BY MR. SHAPIRO:

23 Q Dr. Hay, could you describe what this chart shows.

24 A Yes. This shows another selection bias in the testing
25 results in the Commonwealth of Puerto Rico. This is the age

1 distribution of Puerto Ricans with Covid 19 testing as of
2 December 1, 2021 and what you can easily see from this
3 chart, these are different age groups going from the
4 youngest in the bottom portion of the graph to the oldest in
5 the top portion of the graph.

6 It's well known that the older you are, the greater the
7 risk of bad outcomes, like hospitalization, critical care,
8 ICU and death is highly correlated with age and so what you
9 can see is that from the start of the lockdowns in March of
10 2020 right on through November of 2021, there's been a
11 marked increase in the percentage of those tested being the
12 youngest people in the population, 0 to 9, 10 to 19 and
13 these are the people that are the least likely to be
14 hospitalized or have bad outcomes with Covid and so the
15 mixture of the tested population is even though as we
16 already said, there's a bias in favor of severity, there are
17 other biases based on age and you can't just say that what
18 the population of Puerto Rico looked like in terms of Covid
19 19 last year or January or March of this year.

20 It's not the same as it is now and it's skewing younger
21 and younger and thus healthier.

22 Q Does that mean that positivity rates from this testing
23 is becoming more useful, less useful, the same as it's
24 always been?

25 A Well, I would say less useful for all the reasons we've

1 mentioned and also the reason that because it's not a random
2 sample. If you really want to know what's happening to
3 Covid in the Puerto Rican population, you have to get a
4 random sample and that's not what this is as is obvious from
5 this chart.

6 MR. SHAPIRO: Your Honor, I move the admission of
7 Id 6 as Exhibit 6.

8 THE MAGISTRATE: Any objection?

9 MR. CINTRON: None, Your Honor.

10 THE MAGISTRATE: Admitted as Exhibit 6.

11 (Plaintiff's Exhibit 6 was
12 admitted into evidence)

13 THE MAGISTRATE: Dr. Hay, can I interject here and
14 ask you a question? Would it make any difference if you
15 were to know that in Puerto Rico some schools are doing
16 random testing sampling of students?

17 THE WITNESS: No, because those schools themselves
18 are self-selected. They might be Catholic schools. They
19 might be private schools. They might be public schools and
20 some schools are going to offer those and some schools
21 aren't and so even if you random sample the people in a
22 particular Catholic school, it may be very different from a
23 school that doesn't participate or a public school.

24 THE MAGISTRATE: So, it would only be something
25 valuable if every single school is doing it?

1 THE WITNESS: Well, I would -- I mean, I wouldn't
2 even limit it to schools. I would say you have to do a
3 random sample of the pediatric population and, in fact, a
4 random sample of the entire population of Puerto Rico if you
5 want to have an accurate assessment of what is happening to
6 trends of Covid.

7 Again, just because a school decides to participate and
8 they elegantly randomize within that school, there's a bias
9 still because who went to that school?

10 Now, using the methods that I mentioned, the
11 econometrics, we can overcome those biases if we're asked to
12 by counsel but the way it exists now, I don't see any way
13 that that could be feasible.

14 THE MAGISTRATE: Mr. Shapiro, you may proceed with
15 the examination of the witness.

16 MR. SHAPIRO: I'll ask my co-counsel to put up
17 Id 7.

18 BY MR. SHAPIRO:

19 Q Dr. Hay, could you describe what this is going to show
20 shortly and its significance to what you've been talking
21 about.

22 A Yes. Again --

23 Q Hold on, it's not up yet. There we go.

24 A It is burdensome to get the tests, particularly
25 burdensome in Puerto Rico because they have to pay for it

1 out of their own pockets in most cases. It's also
2 burdensome because you have to travel to a testing center
3 and that imposes the greatest burden on poor people, people
4 with little job flexibility and they can't leave their jobs.

5 People that have family duties and burdens. It's
6 difficult to travel within Puerto Rico. There's not that
7 many testing sites and in particular if you want to get --
8 the first graph shows all the testing sites in Puerto Rico.

9 The second graph shows the testing sites that can give
10 you results in one day and in both cases it's going to
11 involve lots of travel time and travel costs and perhaps
12 loss of work, baby sitters, other things, to get these tests
13 at these sites.

14 MR. SHAPIRO: Your Honor, I'll offer Id 7 as
15 Exhibit 7.

16 THE MAGISTRATE: Any objection?

17 MR. CINTRON: No objection.

18 THE MAGISTRATE: Admitted, Exhibit 7.

19 (Plaintiff's Exhibit 7 was
20 admitted into evidence)

21 MR. SHAPIRO: I'll ask co-counsel to put up Id 8.

22 BY MR. SHAPIRO:

23 Q Okay, Dr. Hay, what does this chart show?

24 A Okay, this is the rolling average, weekly rolling
25 average of confirmed Covid 19 cases since April 1, 2020,

1 which is about when the lockdowns began in most
2 jurisdictions so after they began in California and so,
3 seven day moving average is the technical term for a weekly
4 rolling average.

5 You want to have seven days because not all testing
6 sites, not all vaccination sites, not all hospitals -- well,
7 hospitals are open but lots of the surveillance activities
8 don't take place with equal intensity every day of the week
9 and so we do a seven day rolling average, seven day moving
10 average and so this is the rate of confirmed Covid cases in
11 Puerto Rico since April 1, 2020 and so you can see the first
12 big peak in the winter of last year and this year, January.

13 You can then see another peak in March and April. You
14 can see the Delta peak in August which rapidly declined and
15 this is a theme with most infectious agents.

16 Over time the virus or the infectious agent, in this
17 case virus could be bacteria, could be parasite but a new
18 virus such as Covid adapts to the host, becomes less lethal
19 over time and so each of these peaks will get smaller and
20 smaller and smaller, and that's a natural phenomena.

21 You see this in jurisdictions like Florida which don't
22 vaccinate and don't mask and don't have any mandates or
23 lockdowns, you'll see exactly the same declining peaks in
24 case load and what you can see is that over time these peaks
25 get smaller and smaller.

1 Now, the next question is what was the affect of the
2 mandates on these peaks and I think the next slide
3 highlights that.

4 Q Before we get to the next slide though, Dr. Hay, what
5 would happen -- so, you're saying, I don't want to put words
6 in your mouth, so correct me if I'm misstating what you're
7 saying. You're saying that there are a series of waves of
8 declining height in terms of how Covid works and in terms of
9 other viruses, again, correct me if I'm misstating anything.

10 What would be the effect on this pattern if the
11 vaccination rate never increased from what it is today?

12 A Well, again, let me tell you. These are cases, this
13 doesn't distinguish between severity or death or anything,
14 these are just cases and it's well known. In fact, the FDA
15 admits that the vaccines do not prevent transmission. So,
16 the vaccines have no effect on number of cases.

17 Whether you're vaccinated or not, you still have the
18 same viral load, at least with Delta and the evidence is so
19 far also with Omicron. What happens is through the natural
20 process of you might even call it evolution, the virus
21 changes and becomes less lethal and more infectious.

22 This is called Far's Law. William Far, an
23 epidemiologist long ago showed that this pattern exists in
24 essentially every infectious disease and they were all
25 (Inaudible) inverse correlation between the falidy and

1 Q Dr. Hay, please explain this chart.

2 A Yes. This chart is the tail end of the last chart.

3 So, it's just this is what's happened in Puerto Rico in

4 terms of confirmed cases since November 15 and you can see

5 that the mandates have had no effect on confirmed cases.

6 They're basically flat.

7 MR. SHAPIRO: I move the admission of Id 9 as

8 Exhibit 9.

9 THE MAGISTRATE: Any objection?

10 MR. CINTRON: No objection.

11 THE MAGISTRATE: Admitted Exhibit 9.

12 (Plaintiff's Exhibit 9 was

13 admitted into evidence)

14 MR. SHAPIRO: I'll ask co-counsel to put up Id 10.

15 BY MR. SHAPIRO:

16 Q Please describe this chart, Dr. Hay.

17 A This is the number of cases per one hundred thousand

18 residents by state or territory. Again, there's a

19 misspelling on territory and Puerto Rico is highlighted in

20 red as of December 1, 2021 and you can see that Puerto Rico

21 as happens to us on the map because they have the lowest

22 number of cases per hundred thousand residents of all U.S.

23 states and territories, except for the Marshall Islands.

24 Q But you said that case numbers no longer matter. So,

25 should we care that Puerto Rico has, at least according to

1 the testing with the problems that you identified, has a low
2 rate of case prevalence?

3 A Well, it's, yeah, it's not -- even I've fallen into the
4 trap of thinking that this data is highly meaningful. It's
5 not because as you just said, the case selection is biased
6 and it probably has different biases in different states and
7 different territories but nevertheless, even accepting this
8 data at face value with all its biases, I think it's better
9 that Puerto Rico is at the bottom of the list than being,
10 let's say, at the top of the list.

11 MR. SHAPIRO: Your Honor, I move the admission of
12 Id 10 as Exhibit 10.

13 THE MAGISTRATE: Any objection?

14 MR. CINTRON: No objection.

15 THE MAGISTRATE: Admitted, Exhibit 10.

16 (Plaintiff's Exhibit 10 was
17 admitted into evidence)

18 BY MR. SHAPIRO:

19 Q What are the lessons that we can draw, Dr. Hay, from
20 case rates and what you're saying with the waves and what
21 not that you talked about from Delta, from the Delta
22 variant?

23 A Could you repeat the question? I don't understand.

24 Q Sure. Well, just to explain to everyone I guess where
25 I'm going with this is the last, the first mandates went

1 into effect in partial response to the spread of the Delta
2 variant. So, what have we learned from the Delta variant
3 and Puerto Rico's experience with it?

4 A What we've learned is that cases declined rapidly
5 according to the testing methodologies that they used and
6 did not appear to be mediated whatsoever by demand
7 (Inaudible)

8 THE MAGISTRATE: I'm sorry, the audio broke a
9 little bit and I was able to hear Dr. Hay say cases declined
10 rapidly but then I was not able to hear what he said
11 afterwards. Could you please repeat what you said after
12 that?

13 THE WITNESS: Yes, Your Honor. Cases declined
14 rapidly after the peak of the Delta variant and that decline
15 was not mediated by the vaccine mandates in Puerto Rico.

16 BY MR. SHAPIRO:

17 Q Why do you say that?

18 A For several reasons but if you go back to the previous
19 chart, you can see that they were flat since the latest
20 Executive Order was implemented and you can also see that
21 the decline was prior to I think some of the previous
22 mandates and it follows very similar trends in every other
23 state and territory, including those that had no mandates at
24 all.

25 MR. SHAPIRO: I'll ask co-counsel to put up Id 11

1 and I'm going to move to talking about hospitalization and
2 the burdens on the healthcare system from Covid 19.

3 BY MR. SHAPIRO:

4 Q Dr. Hay, can you describe Id 11, the first chart I
5 think there's two charts there, is that right? Okay, can
6 you describe the first chart and the trends and the data on
7 hospitalization since the beginning of this chart.

8 A Yes, I consider these next few charts to be extremely
9 informative on the value of any of the mandate measures
10 because these charts, the first one shows hospital bed
11 utilization by Covid 19 status since August 1, 2020.

12 So, we're going back deep into last year's lockdown
13 prior to even the development of the vaccines or even the
14 release of the emergency authorization used to the vaccines.

15 This goes way back before then. Now what you can see
16 is that neither the vaccines themselves nor any other
17 measure that took place over that entire time frame had any
18 impact whatsoever on hospitalizations due to Covid and why
19 do I say that, well, okay, the bottom area of this
20 hospitalization graph are the Covid cases in hospital and
21 again you can see those waves and you can see the Delta wave
22 which peaked around the beginning of September and rapidly
23 declined.

24 So, it's almost invisible in this chart from about
25 November 1 through now. It's almost negligible but what's

1 also very interesting if you zoom out on the whole chart is
2 that almost every time you see a spike of Covid cases, every
3 time you see that little upward bump in Covid
4 hospitalizations, there is a one for one decline in
5 hospitalization for other reasons and the overall rate of
6 hospitalizations in Puerto Rico is pretty flat.

7 Now, it's a spiky little line which could be weekly
8 admissions and discharges but it's pretty much flat. There
9 is no trend in Puerto Rico. It looks like hospitals have
10 stayed at about sixty percent occupancy for the entire time
11 period and every time there's a Covid spike, it's offset by
12 a decline in other hospitalizations.

13 So, what that tells me again is the testing may not be
14 very reliable because maybe they're picking up false
15 positives in these spikes. There's no clinical explanation
16 for why Covid doesn't move the needle on changing the
17 utilizations of hospitals in Puerto Rico and one of the
18 major justifications that Public Health officials use for
19 the lockdowns and the vaccine mandates is we have to
20 preserve capacity in our hospitals.

21 Well, you can see that the capacity is forty percent
22 vacancy pretty much the whole time, pretty much flat.

23 Q Just to be clear, are you saying that Puerto Rico's
24 hospital system has never been threatened with overload
25 capacity of being overwhelmed?

1 A That's what the chart shows. You can see that I don't
2 think the numbers have ever gone up to seventy percent over
3 this entire time period.

4 Q Just to be clear, what is the second chart on that
5 page?

6 A Yes, the second chart is identical statistics and even
7 more telling because now we're looking at even more serious
8 cases. These are people that are admitted to the ICU. We
9 all remember the horror stories of people in ventilators and
10 not having enough ventilators and people, you know, waiting
11 to get into hospitals.

12 That has never happened in Puerto Rico and it's never
13 happened in the intensive care units, the critical care
14 units of the Puerto Rican population and here you can see
15 that again there is a one to one offset. So, every time
16 there's a spike of Covid ICU cases, there's an
17 equivalent offsetting reduction in non-Covid ICU cases and
18 again there is no trend with respect to Covid, with respect
19 to ICU admissions, it's flat.

20 It never gets above about 75 percent and so we've got a
21 30 percent excess capacity during the entire Covid epidemic
22 all the way back to the 1st of August and every Covid ICU
23 admission is offset by a reduced other patient admission.

24 Of course, the most likely explanation is you don't die
25 of Covid. You don't get sick in the ICU with Covid. You

1 don't go to the ICU with Covid, due to Covid.

2 You do it because you've got underlying medical
3 conditions, diabetes, obesity, heart disease, cancer,
4 asthma, COPD and sometimes those are labeled Covid cases and
5 sometimes they're labeled something else but the overall
6 case load doesn't change.

7 Q What about at the height of the Delta wave, were
8 hospitals or ICU utilizations ever threatened at being over
9 capacity?

10 A No, you can see that the Delta wave starts in about
11 August of 2021 and continues through October of 2021 and so
12 you can see that Delta wave clearly on the ICU chart but if
13 you look above, you can see it had no effect on capacity
14 because every case was offset by reduction in some other
15 case.

16 Q You testified that vaccination helps -- doesn't affect
17 transmission but affects how severe someone's experience
18 with Covid is. If that's the case then what is the
19 relationship between the increasing vaccination rate during
20 this whole period, at least since vaccines became available
21 and the hospitalization and ICU rate?

22 A Well, what I can tell you as a health economist is that
23 even though the FDA has approved these vaccines specifically
24 to reduce hospitalization, ICU treatments and death, in the
25 real world, at least in Puerto Rico, you don't see that at

1 the population level.

2 Now, I'm not challenging anything about the label for
3 the Covid vaccines, I think the FDA is correct when they
4 labeled those vaccines as reducing hospitalizations and
5 deaths.

6 However, you don't see that benefit at the population
7 level. Certainly not in Puerto Rico nor with (Inaudible)
8 Well, I'll just leave it at that.

9 You don't see it in the population. I'm not disputing
10 the FDA's claims and indications for the vaccines on the
11 label but you don't see the benefits in the population.

12 Q What about the mandates as distinct from vaccination
13 generally?

14 A Well, if the vaccines aren't changing deaths, ICU or
15 hospitalizations in the Puerto Rican population, then the
16 mandates won't either. Then again, we keep saying that the
17 vaccines don't stop transmission.

18 MR. SHAPIRO: Your Honor, I move the admission of
19 Id 11 as Exhibit 11.

20 THE MAGISTRATE: Any objection?

21 MR. CINTRON: None, Your Honor.

22 THE MAGISTRATE: Admitted, Exhibit 11.

23 (Plaintiff's Exhibit 11 was
24 admitted into evidence)

25 MR. SHAPIRO: I'll ask my co-counsel to put up

1 Id 12.

2 BY MR. SHAPIRO:

3 Q Really quickly, Dr. Hay, because I think this
4 re-encapsulates in a kind of a different view of other
5 things that we've seen. Could you describe what this shows.

6 A Yeah, this shows essentially what we've already seen,
7 the waves drop in severity and each wave is increasingly
8 smaller. These are the waves of new admissions. Each wave
9 is increasingly smaller over time and now we're pretty much
10 down to negligible levels of hospital admissions and, of
11 course, as we've seen from the last two slides, if you place
12 the other admissions on top of this, it's a one for one
13 offset.

14 MR. SHAPIRO: I move the admission of Id 12 as
15 Exhibit 12.

16 THE MAGISTRATE: Any objection?

17 MR. CINTRON: No objection.

18 THE MAGISTRATE: Admitted Exhibit 12.

19 (Plaintiff's Exhibit 12 was
20 admitted into evidence)

21 BY MR. SHAPIRO:

22 Q Okay, let's move to deaths. I'll ask co-counsel to
23 put up Id 13.

24 A Yes. Okay, this is the same pattern of -- this is the
25 number of confirmed Covid 19 deaths since April 2020. You

1 can see the waves. In terms of deaths, it does look like
2 Delta is a little bit higher than prior waves but not as
3 high as the first wave and after the Delta wave rapidly
4 declined. It's now gone down to extremely low levels. The
5 current death rate in Puerto Rico, the weekly moving average
6 is less -- it's 0.6 per day.

7 Q What does the second chart on that page show?

8 A Yeah, let's put up the second chart. Okay. This is
9 again like that other one, we blew up the last tail end of
10 the previous chart and so this is from the beginning of
11 November, November 13 through December 1, and you can see
12 that it's negligible.

13 It's one or two per day occasionally. Lots of time
14 zero deaths in Puerto Rico and it's not impacted whatsoever
15 by the mandate.

16 Q What's the overall trend with deaths from Covid in
17 Puerto Rico?

18 A Negligible. It's like I say, it's one or two or zero
19 per day and given all the testing biases and testing issues
20 that we've already discussed, obviously this would be an
21 upward bias but it's still negligible.

22 Q How is that related to the trend lines that we've
23 discussed with respect to cases and hospitalizations?

24 A It's similar. Cases have rapidly declined,
25 hospitalizations have rapidly declined, at least for Covid

1 but they haven't declined for other things and so the
2 overall hospital rates are the same and deaths have rapidly
3 declined to essentially negligible. I mean, the number of
4 car fatalities in Puerto Rico I'm sure is much higher than
5 one or two per week.

6 Q Can we, you know, we've talked about these waves and
7 these peaks. Can we expect to see more of those whether
8 with Omicron or future variants that might come about?

9 A I think we may see increases in cases but particularly
10 with such a high vaccine rate in Puerto Rico, I don't think
11 we're going to see any increases in hospitalizations, ICU
12 admissions or deaths.

13 MR. SHAPIRO: I'll move to introduce Id 13 as
14 Exhibit 13.

15 THE MAGISTRATE: Any objection?

16 MR. CINTRON: None, Your Honor.

17 THE MAGISTRATE: Admitted, Exhibit 13.

18 (Plaintiff's Exhibit 13 was
19 admitted into evidence)

20 MR. SHAPIRO: I'll ask co-counsel to put up Id 14.

21 BY MR. SHAPIRO:

22 Q Just to follow up on the last thing you said, Dr. Hay,
23 based on your econometric analysis, is Covid becoming more
24 or less lethal?

25 A Unquestionably it's becoming less lethal.

1 Q But more contagious?

2 A Yes. Far's Law, it says, "if you're less lethal, the
3 trade off is you're more contagious because the virus has
4 revolutionarily figured out how to evade the biological
5 systems that want to kill it because it wants to kill them.

6 It's like they become more civilized. All germs become
7 more civilized as they get older because actually as do
8 humans. If you remember the barbarians back two thousand
9 years ago.

10 Humans become more civilized, too, but germs become
11 more civilized over time and they become more polite because
12 if they want to survive, they don't want to do very much to
13 you.

14 Q What do either vaccination rates or vaccination
15 mandates, what effect would they likely have on this dynamic
16 that you've described?

17 A Zero because they don't change transmissibility
18 to the (Inaudible) whether you're vaccinated or not.

19 Q Could you describe Id 14.

20 A Yeah, this is the deaths per one hundred thousand
21 residents by state or territory and Puerto Rico, as we said,
22 we're down to negligible one or two less per day. It is at
23 the very low end of the reported Covid deaths.

24 These are the states and territories again on the X
25 axis and Puerto Rico is fifth from the bottom based on this

1 ranking of Covid deaths as of December 1, 2021.

2 MR. SHAPIRO: I'll move the admission of Id 14 as
3 Exhibit 14.

4 THE MAGISTRATE: Any objection?

5 MR. CINTRON: No, Your Honor.

6 THE MAGISTRATE: Admitted, Exhibit 14.

7 (Plaintiff's Exhibit 14 was
8 admitted into evidence)

9 MR. SHAPIRO: I'll ask co-counsel to put up Id 15.

10 BY MR. SHAPIRO:

11 Q Dr. Hay, what is this and this is the first time that
12 we've talked about differentiating different kind of case
13 and death statistics. So, please just make sure we're
14 understanding what's going on here.

15 A Yeah, okay. This is a chart of confirmed and probable
16 deaths in Puerto Rico as of December 1, 2021 and what you
17 can see, first of all, let me explain the difference between
18 a confirmed case and a probable case. A confirmed case is a
19 case that is PCR positive.

20 We said there are still lots of problems with a so
21 called confirmed case because PCR has a lot of testing
22 biases.

23 Nevertheless, probable cases are people that are tested
24 with the Antigen test, often rapid Antigen test, and the
25 reason they are said to be probable is because if you test

1 positive in an Antigen test, it doesn't mean you have Covid.

2 It means you may have once had it and you still have
3 antibodies against it and these antibodies are highly
4 persistent. They can last as far as we know, well over a
5 year and there's evidence, there's very strong evidence that
6 natural antibodies; that is antibodies that are acquired
7 through naturally catching Covid and then having your body
8 naturally eliminate it are much more robust and effective
9 against preventing additional cases than are vaccinated
10 people.

11 What you can see is a sort of a troubling trend. At
12 the very beginning, all the way through September, one
13 hundred percent of the cases were PCR confirmed, so they are
14 confirmed cases but over time they can't get as many cases
15 with the PCR test as they used to be able to.

16 So, they're kind of goosing the system by adding in all
17 these Antigen positive cases, which could be people that
18 were infected a year ago and got over it a year ago. Lots
19 and lots of people know that they've had it.

20 They know when they had it because they got sick. They
21 might have even gone to the hospital but now they have a
22 robust immunological protection that lasts much longer than
23 the vaccines. We know the vaccines only last about six
24 months at best and that's why they're pushing for boosters
25 now and they'll probably be pushing for boosters even more

1 because artificial immunity from vaccines is not nearly as
2 robust as natural immunity from being exposed to the actual
3 virus and those countries like Sweden that never used
4 vaccines or masks or lockdowns or shut down the schools,
5 have a much lower death rate than we did, even though they
6 don't use those methods and they have much more robust
7 antibody protection.

8 Q We'll get to the natural immunity shortly but can you
9 just for the record describe the colors and the forms of the
10 lines in this chart.

11 A I would like to except I'm color blind. Let me take
12 a second. The line on the top, the dash of yellow or green
13 line says confirmed cases.

14 So, those are PCR positive cases. The dash line that
15 starts out on the bottom is probable cases and you can see
16 until September there were zero probable cases. They were
17 all confirmed.

18 The I believe is blue line, is confirmed deaths and I
19 think the red line, probable deaths. Now, one of the first
20 things you can see from this chart that makes no sense at
21 all is one hundred percent of the cases were confirmed but
22 only I think forty percent of the deaths were probable.

23 Now, how can you have a probable death if all the cases
24 are one hundred percent confirmed? There's some kind of
25 statistical error there or logic error there and what you

1 can also see, which I say is also troubling for the Covid
2 statistics in Puerto Rico, is they're relying more and more
3 and more on probable cases, which could be people that were
4 infected years ago and have gotten over it and have moved on
5 and they're actually better protected than the vaccine
6 people.

7 MR. SHAPIRO: I move the admission of Id 15 as
8 Exhibit 15.

9 THE MAGISTRATE: Any objection?

10 MR. CINTRON: No, Your Honor.

11 THE MAGISTRATE: Admitted, Exhibit 15.

12 MR. SHAPIRO: I'll just state for the record and
13 I should have said this with the first slide but for each of
14 the exhibits, the data sources are indicated at the bottom
15 of each respective exhibit. I'll ask co-counsel to put up
16 Id 16.

17 BY MR. SHAPIRO:

18 Q What does this show, Dr. Hay?

19 A Okay, this is the weekly rolling average of daily
20 confirmed cases since October 1, 2021 comparing Puerto Rico
21 versus Florida. Now, as an economist, as a health
22 economist, we don't have the luxury of doing randomized
23 trials.

24 So, we use real world comps, real world comparison,
25 just like a real estate agent trying to tell you what your

1 house is worth. They look at other houses, they look at
2 what they're doing in those other houses, what the
3 attributes are of those other houses and then they use that
4 to come up with answers for you.

5 We use comps to say well, which health policies are the
6 most effective in both reducing the burden of Covid 19 and
7 not causing additional burdens by making people get tests,
8 making people get vaccines, making people stay home from
9 work, making people lose their jobs, et cetera.

10 Now, Florida and Puerto Rico could not be more
11 different in how their health policy has approached Covid
12 and yet Puerto Rico and Florida share many characteristics.
13 They're both tropical territories of the United States.
14 They're, I think Florida is the closest state to Puerto
15 Rico.

16 Physically they both are in the Caribbean and yet you
17 can see in Florida where Governor DeSantis has outlawed
18 mandates, outlawed masks, outlawed any -- not outlawed masks
19 but said, "you cannot tell anybody, even if you're an
20 employer, even if you're a government agency, even if you're
21 a school district, you can't tell anybody to vaccinate, you
22 can't tell anybody to mask, you can't tell anybody to social
23 distance" and Florida now is living -- they don't think
24 three nanoseconds about Covid.

25 Their life is normal. It's the way it was before the

1 lockdowns and yet where you can see from this graph -- you
2 know, everyone said, "well, Florida, if you don't do all
3 these things that we tell you to do with masks and
4 vaccinations and other social distancing measures, you're
5 going to have an exploding rate of deaths from Covid."

6 It's just the opposite. Florida is living normally
7 before the lockdowns and yet the number of cases in Florida
8 is declining so that it's now negligibly different from that
9 in Puerto Rico.

10 So, what that tells you is that the mandates are
11 completely unnecessary to get you down to the levels of
12 Puerto Rico, which as we said according to the stats on
13 testing, is one of the best in the country.

14 Florida got down there doing nothing and living normal
15 lives. So, again, and this just shows that the mandates are
16 completely unnecessary. I would take it a step further and
17 say Florida is going to out perform probably Puerto Rico but
18 certainly many, many other states because they have robust
19 protection. They have natural herd immunity.

20 Q Okay, let's talk about --

21 MR. SHAPIRO: Oh, I'll first move the admission of
22 Id 16 as Exhibit 16.

23 THE MAGISTRATE: Any objection?

24 MR. CINTRON: No, Your Honor.

25 THE MAGISTRATE: Admitted, Exhibit 16.

1

2

(Plaintiff's Exhibit 16 was

3

admitted into evidence)

4

BY MR. SHAPIRO:

5

Q Okay, so let's talk about natural immunity.

6

A If I could follow up on the herd immunity thing.

7

Q Sure.

8

A Okay, just briefly a comparison that I've been looking

9

at since the lockdown is Sweden versus Norway. Sweden and

10

Norway are almost identical countries. They share a

11

thousand mile border. During the entire lockdown period,

12

Sweden was made an example by the rest of the world as being

13

totally irresponsible because they didn't mask, they didn't

14

vax, they didn't shut the schools.

15

As of today, there are almost no pediatric deaths even

16

though they've gone to school every day and even more

17

importantly, in the last month during the time period when

18

Norway is essentially highly vaccinated and Sweden is almost

19

negligibly vaccinated, the rate of Covid cases is shooting

20

up in Norway right now because we don't have the robust

21

protection of natural herd immunity and it's low and flat in

22

Sweden.

23

Q But you testified that Puerto Rico's whatever

24

statistics we've been talking about, whether it's case

25

numbers, hospitalizations, deaths, have compared very

1 favorably to other U.S. jurisdictions and at the same time
2 Puerto Rico has also had very high vaccination rates
3 compared to other U.S. jurisdictions.

4 So, why don't we attribute Puerto Rico's success to
5 that high vaccination rate?

6 A Because as we can see in the Florida versus Puerto
7 Rico, they've achieved the same thing without vaccine
8 mandates and I would argue going forward, the protection you
9 get from vaccines is less robust and so I think Puerto Rico
10 and other jurisdictions with very, very high vaccine rates
11 will end up with much higher Covid case rates and
12 potentially hospitalizations and deaths than the
13 jurisdictions that allow natural immunity to take place and
14 again, there are many studies and literature showing much
15 better persistence of natural immunity.

16 The immune system persists (Inaudible) again Covid and
17 for over a year where we know vaccines are only for six
18 months and we believe the protection will be more robust
19 because the vaccine hits specific targets like the spike
20 proteins and it's known that robust protection is going to
21 -- it's available from natural immunity but the spike
22 protein of immunity you get from the vaccines is easily
23 evaded by the next variant, like Omicron.

24 Q Okay, we'll have an Epidemiologist and Immunologist
25 testify about the significance of natural immunity and those

1 kinds of viral processes but as a health economist, what is
2 your evidence that natural immunity is better than
3 vaccination rates or should be considered in public policy
4 making? Is it this comparison of Sweden and Norway? I
5 mean, what else have you studied to suggest this?

6 A Yes, it's these comparable jurisdictions and Sweden and
7 Norway is a great one. Another great one is Vermont and New
8 Hampshire. They share a border along the entire Connecticut
9 River and New Hampshire doesn't vaccinate nearly as much as
10 Vermont.

11 We already saw Vermont is one of the highest in
12 the country. Vermont is much -- New Hampshire is much lower
13 and yet the case rates and case pathology rates are better
14 in New Hampshire. Florida versus Puerto Rico. You can find
15 examples of these everywhere.

16 Q What about new therapies that have been and are being
17 developed or new variants that come along, how do you
18 disentangle those factors from the impact that either
19 vaccination rates or natural immunity might have?

20 A Well, that's another topic which I think also suggests
21 that vaccine mandates are unhelpful and not productive.
22 It's now -- we have at least two antiviral therapies, one
23 from Merck and one from Pfizer that are approved by the FDA.
24 There's I think 467 other antivirals in development.

25 Many will be released over the next few months but it's

1 already the case that if you do get sick and you get serious
2 symptoms with Covid, if you take this Merck, antiretroviral
3 or if you take the Pfizer antiretroviral or when these other
4 ones come on line in weeks and months, you will reduce your
5 risk of death by even more than with the vaccine and so
6 rather than vaccinating everybody and having to deal with
7 vaccine side effects and costs and burdens of vaccines, if
8 you wait until people have symptoms and give them one of
9 these antiretrovirals, deaths and hospitalizations should go
10 to zero.

11 I mean, they are already negligible in Puerto Rico but
12 if anybody does get sick, they should be taking these
13 antiretrovirals.

14 Q Is Covid endemic now? What does it mean for a virus
15 to become endemic?

16 A Absolutely. Covid is endemic. Certainly the Covid
17 family of viruses has been around for thousands if not
18 millions of years. We all remember Sars from a decade ago.
19 That was corona virus 1.

20 They were all very similar and I think that shares 80
21 percent or higher of the genetic components of Sars Covid 2,
22 Covid 19. These viruses can all be attacked with any
23 retroviruses, any retrovirals in a way that doesn't deal
24 with the spike protein and, therefore, if they mutate the
25 spike protein to evade the vaccine, the antiretrovirals will

1 still work.

2 So, in terms of whether or not it's endemic, biologists
3 have found Covid in nearly every animal species that I'm
4 aware of. It's certainly in dogs. It's in cats, rat, bats
5 and I just learned this morning that two hippopotamuses in
6 the Belgian zoo tested Covid positive.

7 So, even if we mask and vaccinate every human being
8 on the planet, which is not possible within my lifetime, not
9 with current technology nor with political culture around
10 the world, even if we do that, we're going to have a huge
11 reservoir of Covid 19 in birds, cats, rats, fish,
12 hippopotami and it always will jump back to the human
13 population and when it jumps, it will jump in a way that
14 evades vaccines.

15 Q So, just to be clear, what does endemic status mean?
16 Does it mean widespread, does it mean jumping between
17 animals and humans, does it mean something we don't have to
18 worry about? What does endemic mean?

19 A Endemic means that it cannot be eliminated with
20 existing technology from all human and animal species. It
21 can't. You're not going to vaccinate every bird. You're
22 not going to mask every hippopotamus. You're not for a dog.

23 By the way, it's ironic that people walk around with
24 masks on their faces but don't have a mask on their dogs
25 face. If you really want to halt this dead in its track on

1 the planet earth, you're going to have to mask and vax every
2 living organism.

3 Q So, what are some examples of other endemic viruses
4 because SARS is no longer, right? We don't talk about Sars
5 anymore, so that got eradicated somehow.

6 A Well, I'm not sure that that's true. I'm not sure that
7 there isn't some reservoir somewhere of Sars. We're not
8 testing for it. We're not seeing outbreaks of it.

9 Maybe there's enough natural immunity to Sars Covid 1,
10 that it has in fact dropped out of the human population,
11 only to be replaced by Sars Covid 2, which has 80 percent of
12 the same genes or some genetic material and Sars Covid 3
13 could have 80 percent of Covid 19's genetic material.

14 So, it's going to keep mutating and we're never going
15 to stop it with vaccines, particularly if the vaccines
16 aren't given to all the animals and if the vaccines
17 themselves don't stop transmission, which we know according
18 to the FDA, they do not.

19 Q So, is the flu endemic? Is the common cold endemic.
20 I mean, what -- you know.

21 A Yes, we have learned to live with the flu. Guess what,
22 we call most flus, Avian flu type such and such because they
23 come from birds. They transmit across the animal species
24 barrier from birds to humans or from rats to humans or from
25 bats to humans and that's never going to end.

1 Every year there are slight differences in Avian flu or
2 bat derived flu. The same with the common cold which is
3 ironically a type of Corona virus in many cases. The common
4 cold we've learned to live with and it would not surprise me
5 if people who are very, very sick with underlying
6 conditions, some of them might even die from the common cold
7 but we don't hope to (Inaudible) to stop the common cold or
8 the common flu.

9 Q So, is your argument that vaccine mandates aren't
10 helping stop the spread of Covid or the severity of Covid or
11 that they're not -- whatever they're doing is not worth
12 other costs that they're imposing?

13 A I think both. We've certainly seen in the Covid data
14 in Puerto Rico on hospitalizations, ICU admissions and
15 deaths that vaccines are having no affect on human outcomes
16 and I think we're just going to have to learn to live with
17 this plus if we have all these new medicines, antivirals
18 that treat very, very serious cases, the vaccine mandates
19 are totally burdensome and not useful.

20 Q Just to tie up something that you said earlier to what
21 you just said, what effect have the vaccine mandates in
22 Puerto Rico since the first ones went into effect in August,
23 had on the rate of vaccination, as best you can tell?

24 A Negligible. I think that the rate of vaccination was
25 about the same and the counter factual is that there might

1 be a slightly lower number of vaccinated people but maybe
2 one percent, something like that.

3 Q How did you go about performing your analysis?

4 A I took data from the Puerto Rico Health Department as
5 well as the U.S. Centers for Disease Control and as you say,
6 all the references for all of the data that I presented
7 today are listed at the bottom of each of these charts.

8 Q Why are your analytical methods for coming to all of
9 these conclusions superior to what Public Health authorities
10 are arguing and we're going to have -- the Puerto Rico
11 government is going to present their expert witnesses and
12 they're going to say that vaccine mandates are helping and
13 are justified. Why is your analysis -- why are your methods
14 superior?

15 A Because I think I'm looking at the big picture with,
16 you know, the best data that's out there and I think I'm
17 drawing the right conclusions from those data.

18 Q But why is it relevant what an economist would have to
19 say about a pandemic as opposed to an Immunologist or an
20 Epidemiologist? If you're not really understanding this
21 disease, wouldn't those sorts of experts know better than
22 you what's justified and what's not?

23 A No, absolutely not. I mean, there's a reason why
24 health economics has grown from when we first started the
25 International Society of Pharmacal Economics and now comes

1 research. In 1995 we only had thirty members.

2 We now have I think over twenty thousand members of
3 that society globally. I don't know how many
4 Epidemiologists there are but there might not even be that
5 many Epidemiologists in the world and the reason why we are
6 so popular and all of my students get snapped up the moment
7 they get their PhD is because everyone knows that what we do
8 is extremely valuable in order to understand how drugs work,
9 how they work in the real world as opposed in the clinical
10 lab and we have the ability to analyze that data and assess
11 the value of different interventions and that's what we're
12 taught to do and that's what we do research on. That's what
13 I've been doing for 40 years and my services have always
14 been highly sought after and so it's pretty clear that
15 society sees a very high value for the kind of work that me,
16 my students and my colleagues are doing.

17 Q Are you saying that when Public Health authorities are
18 dealing with pandemics they should just listen to economists
19 and not doctors and scientists?

20 A Absolutely not. I think every expert has their place.
21 What I would love to see is a lot more health economists in
22 the Puerto Rico Department of Health, in the departments of
23 health of every state and territory, in the NIH, in the CDC,
24 in the federal government because unfortunately this
25 valuable perspective of health economics and analyzing big

1 data with econometrics is not in the skill set of the people
2 making these decisions.

3 Q Are you saying Epidemiologists, Endinologists, public
4 health scientists all universally lack what econometric
5 training or some other kind of methodological training that
6 you possess?

7 A No, they don't all lack it. I'm saying that we are not
8 adequately represented at the table when these public health
9 decisions are made. Just for example, Professor Van Der
10 Willy at Harvard is an Epidemiologist, world class, and he
11 invented a lot of the techniques that I use. I'm not saying
12 Epidemiologists can't do this stuff.

13 Some of the best work in this area is done by
14 Epidemiologists but some of the best work is also done by
15 health economists.

16 MR. SHAPIRO: I think I'm done, Your Honor.

17 THE MAGISTRATE: Okay, well, it's almost noon, at
18 least here in Puerto Rico and we have been almost non-stop
19 for almost three hours. So, I think that this might be a
20 good time for a break. So, Counsel, I understand that --
21 unfortunately right now we don't have any cafeteria in the
22 facilities. So, this is going to -- if you want to have
23 lunch, unless you're fasting, if you want to have lunch,
24 this is going to mean that you're going to have to exit the
25 building.

1 So, it might force me to have to give you a little bit
2 of a longer break than otherwise we would have had to give.
3 So, how about if we reconvene at a quarter after one p.m.,
4 Puerto Rico time. Puerto Rico time. Right now in Puerto
5 Rico is almost 12:00 p.m.

6 So, this would give us an hour and fifteen minutes.
7 So, if you want to take advantage of part of this break,
8 although it's not my intention of depriving you of your
9 lunch time but if you want to take time to mark any exhibits
10 for whatever or for any future witness, of course, you can
11 take advantage of that opportunity as well.

12 So, Dr. Hay, you're not excused yet because we have to
13 proceed with your cross examination but we're going to take
14 a break of one hour and fifteen minutes because we have been
15 already non-stop for the past three hours.

16 So, and it's also noon here in Puerto Rico, so I'm
17 going to provide everybody with a lunch break. So, we're
18 going to reconvene at 1:15 p.m. Puerto Rico time.

19 Dr. Hay, I'm going to respectfully ask that during this
20 recess, you do not talk with anybody about matters related
21 to this case or matters related to your testimony as you're
22 still in the middle of your testimony.

23 THE WITNESS: Yes, Your Honor.

24 THE MAGISTRATE: All right, Counsel. Any exhibits
25 that have already been admitted into evidence should remain

1 with the Courtroom Deputy Clerk. I'll see you -- of course,
2 if the defense needs these exhibits for cross examination,
3 of course, they're available to you as well.

4 So, that will be all for the time being. We'll see
5 each other again at 1:15 p.m. As we say down here in Puerto
6 Rico, Buen Provecho or Bon Appetit. The Court is in recess.

7 (Court adjourned at 11:59 a.m.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 MR. BAUERMEISTER: Thank you, Your Honor.

2 THE MAGISTRATE: Okay. We'll proceed now with the
3 testimony of Dr. Hay. I believe that we are going to
4 proceed now with his cross examination. So, Mr. Cintron,
5 your witness for cross examination.

6 MR. CINTRON: For the record, Attorney Jose Cintron
7 Rodriguez.

8 CROSS EXAMINATION

9 BY MR. CINTRON:

10 Q Dr. Hay, good afternoon.

11 A Good afternoon.

12 Q Dr. Hay, towards the end of your testimony and
13 answering questions by Attorney Shapiro, you stated that
14 your general aim was to have the perspective of the health
15 economist be given a greater weight in this kind of policy
16 decisions. You used the phrase "placed at the table", is
17 that correct?

18 A Yeah, I think better the decisions would have been made
19 about the public health measures on Covid if Health
20 Economists like me or even an Epidemiologist would
21 understand the methodology.

22 I'm not saying health economists are the only people
23 that understand this methodology but I think there are a lot
24 more health economists. I haven't done a formal survey but
25 I think there are a lot more health economists that

1 understand these methods than most Epidemiologists.

2 Q You do not intend to minimize in any way the role or
3 the statistical tools of Epidemiologists, immunologists to
4 other kinds of health professionals?

5 A Of course not. I think expertise has it's own
6 perspective and adds something to the table.

7 Q Dr. Hay, as you may remember, we met back in September
8 in this same court that you testified in a case that was
9 somehow related to this one. Is that correct?

10 A I'm not sure I understand what you mean by somehow
11 related.

12 Q Well, the issues were in some way similar even though
13 the facts and the plaintiffs have different allegations but
14 the issues, at least the scientific issues were in some way
15 similar. You remember --

16 A Yes, there were some similarities.

17 Q Yes. Do you remember that you rendered a written
18 report on that case?

19 A Yes.

20 Q Do you remember the contents of that report?

21 A I don't have it memorized.

22 Q All right. Dr. Hay, I'm going to read a passage from
23 that report, and specifically from page 8, paragraph 15 and
24 it reads as follows and from here on I'm quoting you.

25 "For this reason only Econometricians can piece out

1 causal relationships in real world data and be confident as
2 to whether an observable variables could overwhelm the
3 findings from existing data. Recent advances in machine
4 learning and artificial intelligence have dramatically
5 grouped our abilities to generate valid and reliable results
6 from insurance claims and other administrative data bases."

7 My question is this, is your statement on variants
8 with that statement contained in your written report?

9 A No, I find that statement to be still one hundred
10 percent correct and I will also tell you that one of the
11 reasons I'm so confident that we can accomplish what I said
12 in that sentence is because of the pioneering work of
13 Epidemiologists like Van Der Willy. Dr. Van Der Willy at
14 Harvard who has shown us some additional, even more powerful
15 methods to add to the methods we have.

16 So, I think, you know, having Epidemiologists and
17 Health Economists work together could be a tremendous thing.

18 Q Van Der Willy is not an economist, is that right?

19 A No, he's an Epidemiologist at Harvard.

20 Q He's not a Health Economist?

21 A No, he's an Epidemiologist at Harvard.

22 Q But from the passage I just read to you and for these
23 reasons only Econometricians can piece out causal
24 relationships and you stated that you still stand by the
25 truth of that statement as you wrote it back then.

1 A I don't think I said all Econometricians. I'm sure
2 there are some Econometricians who may --

3 Q All Econometricians, that's what it says.

4 A Epidemiologists may not be able to do this.

5 Q Dr. Hay, in this kind of policy determination, there
6 may be a legitimate debate as to the role, the proper role
7 and the proper scope of the input to be furnished by health
8 economists or by economists.

9 My question is this, does that debate belongs properly
10 in the scientific debate or is that a debate that belongs in
11 a courtroom?

12 A No, but -- I don't, yeah, certainly debates of that
13 type do not belong in courtrooms directly. They belong in
14 the research literature but when there are methodologies
15 that are not being applied because of for whatever reason,
16 that could be provided in this epidemic and in other
17 situations, then I think it's important that Judges and
18 juries and people in courtrooms understand that we have very
19 valuable advance methods that are not being used by many of
20 the people that are making public policy decisions.

21 Q Are you familiar with the concept of causal inference?

22 A Yes. In fact, a colleague of mine at UST San Diego,
23 Clyde Granger, won a Nobel prize for demonstrating Granger
24 causality with econometrics. That's like I said, economists
25 have been doing causality with real world data and

1 developing techniques, including Nobel prize winning
2 techniques, for decades if not close to 100 years.

3 Q Is that an area of statistics or econometrics that
4 can identify causal relationships from a real world data?

5 A Granger Causality, I just told you. It's used real
6 world data. He won a Nobel prize for showing how to
7 demonstrate causality with real world data.

8 Q Mr. Hay, who are the leaders in this field from
9 economists standpoint?

10 A Well, I just gave you one. I consider myself to be
11 if not a leader, certainly an avid acolyte. I would
12 consider Professor Van Der Willy at Harvard, even if he
13 doesn't flat out say that's what he's doing. In some sense,
14 that is what he's doing.

15 Q Are you familiar with the work of James Robbins?

16 A I think I've heard the name Robbins, yeah.

17 Q In this field?

18 A Is he an Epidemiologist?

19 Q I understand he's not an economist.

20 A Yeah, I don't think he's an economist.

21 Q Julian Pearl. Are you familiar with that name at that
22 work?

23 A Not specifically, no.

24 Q Donald Rubin?

25 A Yes.

1 Q Is he an economist?

2 A No, he's an Epidemiologist and I would say that what
3 Rubin and Rosenfeld did with their development of the
4 propensity score, is in fact the exact opposite of causal
5 inference with real world data because their methodology
6 which is now been, is used by widely by almost all
7 Epidemiologists.

8 It's taught in all Schools of Epidemiology and by the
9 way, I taught Epidemiology at the Yale School of Public
10 Health when I was on the faculty at the University of
11 Connecticut.

12 Rubin and Rosenfeld developed something called the
13 Propensity Score which explicitly makes it impossible to
14 derive causal inference. So, most of what Epidemiologists
15 have been doing, under Rubin and Rosenfeld is, in fact,
16 incapable of using real world data to develop causal
17 inferences, unlike what Van Der Willy is doing and what
18 Granger is doing and what I've been doing.

19 Q So, are you telling us that Epidemiologists are not
20 capable of piecing out causal relationships with concrete
21 evidence, taking into account an observable variable?

22 A No, in fact, I just told you that Professor Van Der
23 Willy does exactly that and I learned a lot of what I do
24 from him but not everyone in the Epidemiology field even
25 knows what Van Der Willy is doing.

1 I can tell you that because if you look at the papers
2 he's written, he complains a lot about the fact that they're
3 not picking up his methods.

4 Q Let's move on to another subject. As you testified in
5 the contents of the different exhibits and answering
6 questions of Attorney Shapiro, you provided or you made
7 reference to the vaccinated individuals, to the vaccinated
8 segment of the population.

9 Can you broadly define what would be a vaccinated
10 person? Would that be a person with one shot, two shots,
11 three shots?

12 A Well, I think in the set of slides that I presented, we
13 clarified whether we were talking about people with one dose
14 or full vaccination. Yeah, so that's what we did.

15 Q So, one or two, that's your answer?

16 A Yeah, it's a little complicated because unless you know
17 that their vaccinated with, you don't know whether they had
18 a complete vaccination. For example, with Pfizer and
19 Moderna, you need two plus a booster shot has recently been
20 authorized, I believe, under emergency use. Authorization I
21 don't think it's fully officially approved, certainly not
22 for all age groups but what I can tell you is that at the
23 level of detail we had, we couldn't tease out whether how
24 many were Johnson & Johnson vaccines where you only need one
25 and how many Pfizer but we still show that the number of

1 people with two doses, which is full vaccination under
2 either Pfizer or Moderna vaccinations is extremely high in
3 Puerto Rico, if not the highest.

4 Q So, it's a broad definition that doesn't account for
5 these differences. That's what you're telling us?

6 A No. We separated it out versus one dose from those
7 with two doses. We have graphs on both and they're both
8 extremely high in Puerto Rico.

9 Q So, some graphs are based on the definition based on
10 one dose and others on two doses.

11 A Yeah, and it's clearly marked on each chart.

12 Q So there is no consistency in the different
13 (Inaudible), is that right?

14 A Yes, it's totally consistent. If it's one dose, we say
15 one dose and if it's two doses, we say two doses.

16 Q All right, moving on. You testified as to difficulties
17 in testing in Puerto Rico and you specifically spoke about
18 the issue of costs, during your testimony. Is that correct?

19 A Yes.

20 Q Are you aware of the fact that the Puerto Rico
21 Department of Health runs free testing sites in various
22 parts of the island?

23 A Yeah, I think I'm aware of that.

24 Q Are you aware that some municipalities also run free
25 testing sites?

1 A Not specifically, no.

2 Q Did you seek or obtain information on that regard
3 during your process of investigating this matter and
4 formulating your conclusions?

5 A Only to the extent I needed to. It's absolutely clear
6 that for many Puerto Ricans, time and waiting delays of
7 going to a free testing site may outweigh even the \$100 cost
8 out of pocket of buying a test yourself.

9 So, for many people just getting there and doing that
10 is not all that feasible particularly if your employer won't
11 let you out of work.

12 Q Isn't it true that these are two different matters,
13 one of them the particularly a problem?

14 A Can I finish my answer, please?

15 Q Go ahead.

16 A Yeah, the cost burden may actually be even less than
17 the time and waiting burden of going to a free clinic but in
18 any case, the testing in Puerto Rico is the lowest in the
19 country as I've shown in my graphs.

20 So, whatever they're doing in the municipalities and in
21 these Public Health testing sites is not enough to get
22 Puerto Rico above the very bottom of U.S. in terms of number
23 of tests per thousand or one hundred thousand.

24 Q But is that an issue that goes to the availability and
25 the existence of pre-testing sites or is that an issue that

1 goes to another matter?

2 A Well, whatever free testing is going on in Puerto Rico
3 is not enough to get them out of the bottom wrung of the
4 number of tests per one hundred thousand residents.

5 Q Moving on to another chart, Dr. Hay. Taking into
6 account the Delta variant, currently, what is the percentage
7 at which herd immunity can be achieved?

8 A Well, I'm not an expert on what the threshold is for
9 herd immunity. Having looked at the literature, I think
10 almost everyone agrees that if you get up to 80 percent,
11 you'll have herd immunity and so I kind of accept that.

12 Q You made reference in your testimony to testing in
13 schools in Puerto Rico. You described it as random testing
14 and you said that it tends to throw some doubt on the true
15 picture of the situation. Am I correct and did I understand
16 your testimony correctly?

17 A No. The Judge mentioned random testing in schools and
18 so I pointed out that even if you randomly tested within
19 schools, unless you randomly test the people that don't go
20 to school, unless you randomly test people in every school,
21 it's not a random sample.

22 Q Are you familiar, did you become familiar in the course
23 of investigating this matter with the school protocols and
24 the school methods for testing, in school testing in Puerto
25 Rico?

1 A No, because it wasn't necessary for my testimony.

2 Q Are you aware or do you know to what extent those
3 protocols and those guidelines are consistent with CBC
4 guidelines on this subject?

5 A No, because it wasn't necessary for my testimony.

6 Q You've been critical of random testing. Let me ask
7 you, what would be an alternative, an acceptable alternative
8 for that, mandatory testing?

9 A Actually I'm not critical of random testing if you have
10 a random sample of the entire population. I think that's
11 exactly the kind of testing that would be reliable. So, I
12 think random testing would be a reliable way to test. What
13 I'm saying or what my testimony was, was that the testing
14 they do is highly selectively biased because the people
15 mostly have to pay for it out of their own pockets and
16 they're not going to do it unless they're really sick.

17 So, you're going to get a very biased and exaggerated
18 view of how many people in Puerto Rico have a positive Covid
19 if you're only looking for people that are not random but
20 just show up at the testing center.

21 Q Dr. Hay, when you described your method and the
22 methods used in your particular discipline, your field of
23 expertise, you testified about the ability of economists to
24 look, examine and to reach conclusions based on what you
25 call big data. Am I correct?

1 A Yes.

2 Q Have you compared and made a contrast with the method
3 used by physicians who look at issues one by one of the
4 patients, of the incidents of one by one instead of the big
5 picture you referred to?

6 A Yeah, I think that's generally true. I think some
7 physicians are also trained in epidemiology. Some are
8 trained in statistics but again, if their statistical or
9 epidemiological training doesn't include the kind of methods
10 that Professor Van Der Willy uses or that I use, then I
11 wouldn't consider them to have the same understanding that I
12 have about big data and I think it's generally true that a
13 physician that is in practice as their main job, so they're
14 treating patients on a daily basis one by one, I think that
15 gives them a very different perspective than someone like me
16 who's looking at big data.

17 Q When you described the advantages of big data, you
18 stated that it allows the economist to derive insights from
19 claims, the study of claims and the trends they show. Am I
20 right?

21 A Yes.

22 Q Isn't it true that in many instances, many situations
23 in which an individual has to go and seek medical treatment
24 regarding this condition or any other condition, does not
25 necessarily generate the claim?

1 A Um, it's -- I would say the opposite. I say most of
2 these actually do generate claims. There's very few people
3 in Puerto Rico for whom there isn't some electronic record
4 or electronic health record or electronic claims record or
5 billing record.

6 I think it's very unusual even in Puerto Rico that you
7 would not have some kind of big data on patients of Puerto
8 Rico.

9 Q Have you had access to statistics or information in
10 that regard as to what specific percentage generates or does
11 not generate a claim?

12 A Only to the extent that I've reported, you know, the
13 big data statistics in the evidence that I presented.

14 Q So, you cannot tell us about the actual figures or even
15 in a closer proximity.

16 A Of what?

17 Q Of what fraction of the situations in which an
18 individual has to seek medical attention generates a claim.

19 A Oh, well, look I've looked at Puerto Rican data for
20 thirty years at least in Medicare, in Medicaid, in insurance
21 claims, data bases, in legal cases and in those thirty plus
22 years of looking at Puerto Rican data in big data basis, no
23 one has ever told me that Puerto Rico is unique because some
24 large percentage of patient information never exists in
25 electronic files.

1 Q So, is it your testimony that this lack of information
2 and disregard of what part does not generate claims, that
3 does not in any way limit your insight or your ability to
4 reach conclusions? Is that your testimony?

5 A No. I mean, I've been very clear about the data
6 sources I've relied on, which are mostly in this case, the
7 Puerto Rico Health Department and the United States Centers
8 for Disease Control and Prevention and again, having used
9 those data for more than three decades, I'm not aware of any
10 specific Puerto Rico problems on those data sets.

11 Look, we have guidelines and certifications and data
12 transmission protocols and things that have been
13 standardized since the 1960s on insurance, on Medicare, on
14 Medicaid, on employer based insurance policies and in those
15 three decades or more, no one has ever told me, "oh, you got
16 to watch out for Puerto Rico because it's so different. It
17 doesn't follow these data transmission guidelines, it
18 doesn't follow the data variable element definition
19 characteristics or acquisition of these records."

20 All these things are standardized nationwide and I'm
21 not aware of any evidence that Puerto Rico is failing to
22 follow these data collection and transmission standards.

23 Q In answering questions by Counsel Shapiro on the
24 purpose of what you are requested to do and to evaluate for
25 purposes of your testimony in this case, you stated that you

1 were asked to comment on the trends of Covid 19 cases in
2 Puerto Rico with the lockdowns and the effectiveness of the
3 vaccine mandates. Am I understanding you correctly or is
4 that your testimony?

5 A Yeah.

6 Q You were requested to carry out that task strictly from
7 an economic standpoint, is that correct?

8 A What do you mean by that?

9 Q Limited to your field of expertise.

10 A Of course, I evaluated it from the perspective of me
11 being a professor of Health Economics having taught well
12 over 150 PhD and Masters students in health economics,
13 having taught thousands of physicians, nurses, pharmacists,
14 dentists and many, many others on some of the statistical
15 epidemiological and econometric techniques that we use. So,
16 I bring all of that expertise to the task for which I was
17 asked to provide testimony.

18 Q You mentioned during your testimony the concept of
19 saturation and you stated, according to my notes, there is
20 no evidence that increasing vaccination has done anything to
21 lower hospital and death rates and there are other
22 mitigating ways. Was that your testimony?

23 A I think it could be characterized to include those
24 elements, yes.

25 Q As regard to those other mitigating ways, exactly what

1 were you talking about? What are you referring to?

2 A Travel bans, if you're worried about some new variant.
3 Puerto Rico is an island and it would be much easier on an
4 island to implement travel bans than in a contiguous
5 continent like North America or South America or Europe or
6 Asia. That would be one.

7 Number two, as I said, there are two highly effective
8 anti virals approved by the FDA, one from Merck, one from
9 Pfizer, that essentially dropped the death rate to zero. I
10 believe in one of the drugs, there was zero deaths in the
11 treatment group. Maybe both of them, I don't remember but
12 if you can get the death rate to zero with any retrovirals
13 that are used only on the patients that are sick, first of
14 all, it's more efficient. You're not out there vaccinating
15 everybody because you don't need to. They're not all sick.

16 You target the treatment to the people that need it and
17 the treatment is one hundred percent effective or very close
18 to one hundred percent effective in preventing death from
19 Covid.

20 So, that method in my view would be A, much more
21 effective, B, much cheaper, and C, you don't have the burden
22 of having people get these vaccines.

23 Q Are those medications already in the market for
24 individuals who are not receiving treatment in a hospital?

25 A Those treatments are in the market and I believe

1 they're available to people that have signs and symptoms of
2 Covid disease.

3 Q Are they available over the counter?

4 A I don't believe so but the other nice thing about these
5 treatments is they are pills. All you have to do is go to
6 the pharmacy and pick them up. You take them home.
7 Everyone knows how to take pills, unlike vaccines which have
8 to be stored at an extremely low temperature. I don't
9 remember the exact temperature but it's got to be in a very
10 special freezer and it will be damaged if it becomes
11 unfrozen.

12 So there's a huge supply chain logistics problem with
13 these frozen vaccines. They're extremely low temperature.
14 The pills just sit there in the pharmacy or you take them
15 home and you don't even have to put them in the refrigerator
16 and you can take them yourself.

17 You know how to take a pill. So, I don't believe in
18 mandates generally but I would be much more favorable to a
19 pill mandate if you got really sick than a vaccine mandate
20 to everybody on the planet.

21 Q Would general use of those medications and availability
22 of them over the counter, would that have to be FDA
23 approved?

24 A They already are FDA approved and there's 467 other
25 antivirals that are in development and they will be coming

1 in on line probably on a weekly basis within the next
2 several weeks and the other point I want to make about the
3 pills rather than the vaccines, the vaccines are targeted at
4 the surface spike proteins on the Corona virus and if the
5 Corona virus mutates and changes its spikes, the vaccine
6 doesn't work or it doesn't work as well.

7 The pills don't target the spike proteins and there's
8 no way the vaccine is going to mutate to overcome these
9 pills, certainly not as easy as they have, as we've seen
10 with Delta and Omicron with the vaccines.

11 Q Dr. Hay, at the end of the day, isn't it true that
12 whether the availability of this medication is an effective
13 alternative to vaccination, it's a clearly judgement, it's
14 not an economic judgement.

15 A No, it's a big data judgement as well because we know
16 the pills work, we know they prevent essentially all deaths
17 from Covid. The vaccines we know do not prevent all deaths
18 from Covid. They don't prevent all hospitalizations, they
19 don't prevent all intensive care unit stays and they have
20 zero effect on transmissibility of the virus as Dr. Fauci
21 said.

22 Q You also referred in your testimony when you were
23 discussing the general subject of testing problems and
24 testing difficulties which, by the way, you described as
25 being problems in Puerto Rico and everywhere else. Is that

1 correct?

2 A Yes, the testing problems for the technology of the
3 test themselves is no different, is no worse or better in
4 Puerto Rico. What is worst in Puerto Rico is the fact that
5 so many people have to pay for the test out of their own
6 pockets. They have to lose -- they have to take time out
7 off from work, take time off from their families and it's
8 very burdensome.

9 In other jurisdictions, that's not nearly as true which
10 is why Puerto Rico has either the lowest or nearly the
11 lowest rate of testing in the U.S. population.

12 Q You testified as to the quality of the PCR tests and
13 the high possibility of these tests reflecting false
14 positives.

15 A Yes.

16 Q Isn't it true that this goes to the clinical aspect of
17 that particular tool, of that particular method, that is
18 therefore outside your field of expertise?

19 A No, because again you can look at the big data and you
20 can see that despite the fact that Covid has come in waves,
21 according to the PCR tests in Puerto Rico. You had the
22 first wave, you had the second wave, you had the Delta wave.
23 Each wave, by the way, getting smaller and smaller.

24 What you see is that hospitalization rates don't
25 change. ICU rates don't change. Deaths are now negligible.

1 So, the tests are not picking up anything that is relevant
2 for the purposes of public health, such as hospitalizations,
3 severe hospitalizations, critical care unit admissions or
4 deaths.

5 So, if the tests are not useful for those things,
6 they're not very useful.

7 Q That's the situation not only in Puerto Rico but
8 everywhere else?

9 A Yeah, I think that's generally true but then Puerto
10 Rico is one of the key places that has -- can I finish my
11 answer? Puerto Rico is one of the few places in the country
12 that has such strict mandates on the population.

13 Q So, those difficulties, those technical difficulties
14 regarding testing are true in Puerto Rico and other
15 jurisdictions with numbers far different from Puerto Rico in
16 terms of (Inaudible), contagious, hospitalizations, et
17 cetera?

18 A Could you repeat the question, please.

19 Q So, those technical difficulties in testing which you
20 refer to, which you indicated in your testimony that are the
21 same in Puerto Rico and in many other places.

22 A Yeah, the technical difficulties of the tests are going
23 to be the same wherever it's used. Now, whether you --

24 Q It will be the same wherever it's used. Even in places
25 whose numbers are very different in Puerto Rico?

1 A Can I finish my answer? Whether you amp the cycle
2 threshold over thirty three, which is irresponsible
3 according to the scientists I've read and the Cope Institute
4 in Germany, which is their Public Health Epidemiology, the
5 most prestigious Epidemiological Institute in Germany,
6 probably in Europe.

7 They advise, do not go over thirty three cycle
8 thresholds and I'm sure in Puerto Rico they're doing that.

9 Q But I go back to my question. Those technical problems
10 regarding testing, you find them in Puerto Rico and in other
11 jurisdictions whose numbers are very different from Puerto
12 Rico. Is that correct?

13 A Well, I don't know that -- I'm sure in Germany they
14 don't cycle more than thirty three cycle thresholds whereas
15 in Puerto Rico they do. So, no, there's still even more
16 differences.

17 Q You indicated in your testimony that new virus adapts
18 to (Inaudible) and gets more lethal over time.

19 A No, I said the exact opposite. I said it gets less
20 lethal over time.

21 Q Less lethal over time. Again, isn't it true that
22 that's a clinical judgement which belongs outside of your
23 field of expertise?

24 A No, I look at the big data and I can see that.

25 Q Dr. Hay, you testified on Exhibit Number 10, I don't

1 know if you have the documents in front of you.

2 A Which exhibit is that?

3 Q 10.

4 A Yeah, but I don't know the exhibit numbers. What's
5 the title?

6 THE MAGISTRATE: Could you please place that on
7 the document projector, please, because I want to make sure
8 that Dr. Hay is looking at the document that you're actually
9 referring to and also for the benefit of the Court.

10 So, if you could please place exhibit 10 in the
11 document projector, I would appreciate that. The same goes
12 for any other exhibit that you might want to show Dr. Hay
13 during your cross examination.

14 MR. CINTRON: I'm not quite sure how to turn this
15 on.

16 THE MAGISTRATE: Well, Mr. Rodriguez will give you
17 a hand on those matters.

18 MR. SHAPIRO: If I can be of help, Dr. Hay, it's I
19 believe Exhibit 10 as the chart says, "Cases per one hundred
20 thousand residents by state, territory." There we go.

21 THE MAGISTRATE: Okay. So, Mr. Rodriguez, could we
22 please have -- what about Dr. Hay? I can't see him now on
23 the screen.

24 THE WITNESS: Yes, I can see the screen clearly. I
25 can see "Cases for one hundred thousand residents by state,

1 territory, Puerto Rico.”

2 THE MAGISTRATE: Okay. Can you see Dr. Hay, the
3 exhibit?

4 THE WITNESS: Yes, I can, sir.

5 THE MAGISTRATE: All right. Mr. Cintron, you can
6 proceed now with your cross examination.

7 BY MR. CINTRON:

8 Q Yes, in answering questions regarding this exhibit, you
9 stated that even at face value Puerto Rico is at the very
10 bottom in terms of what the graphic shows, cases per one
11 hundred thousand residents.

12 A Yes.

13 Q The graph shows, in fact, it shows a declining trend.
14 Is that correct?

15 A No, sir, this has nothing to do with time. This is
16 by state and territory, as of December 1. So, what it's
17 saying is that throughout the epidemic, at least as of
18 December 1, 2021, Puerto Rico has the lowest number of Covid
19 cases per hundred thousand except for the Marshall Islands,
20 Palau and the Republic of the Marshall Islands.

21 The Northern Marshall Islands and the Republic of the
22 Marshall Islands and Palau are the only states or
23 territories that have lower Covid cases per hundred thousand
24 as of December 1.

25 Q You understand that this low figure has nothing to do

1 with the vaccination mandates?

2 A It has nothing to do with the vaccination mandates at
3 all and that's what my testimony said.

4 Q Are you familiar with the contents of the mandates of
5 the other jurisdictions to which the graph makes reference?

6 A Not all of them. It's public information and at some
7 point I'm going to analyze every state and jurisdiction but
8 all the evidence that I've examined thus far for all the
9 jurisdictions I've looked at thus far show that the vaccine
10 mandates have no impact on serious events, hospitalizations,
11 critical care admissions and deaths.

12 Q You stated at various points in your testimony that
13 vaccination does not reduce transmission.

14 A I'm just telling you what Dr. Fauci has stated. The
15 viral load is identical between --

16 Q Is that the official CDC position?

17 A Well, he's the official health advisor to the President
18 on Covid and so, if it was inconsistent with CDC, I'm sure
19 someone would have said so.

20 Q But he strongly recommends vaccinations, is that
21 correct?

22 A To prevent serious outcomes, to prevent death, to
23 prevent hospitalization but he does not recommend it nor
24 does the FDA by that matter and they're the official
25 decision makers about what the vaccine is allowed to claim

1 in its label and the FDA says, there is no benefit on
2 transmission as does Dr. Fauci.

3 Q Preventing deaths and hospitalizations are legitimate
4 public health objectives?

5 A Not really because people have their own personal
6 incentives to not die from Covid or to not get hospitalized
7 from Covid. In other words, if Covid was very lethal for
8 somebody, let's say an elderly immunocompromised patient
9 with other co-morbidities, then they would have their own
10 incentives to get the vaccine to prevent their own death, to
11 prevent their own hospitalization, to prevent their own
12 admission to the ICU.

13 So, we don't need a public health person telling them,
14 "oh, you don't want to die, do you?" because the public
15 health aspect is to contain the virus and these vaccines do
16 not contain the virus.

17 They do not stop transmission in any shape or form. So
18 there's no public health benefit. There's a private health
19 benefit. You know, if I could prevent my own death with a
20 vaccine or a drug, I've got a pretty good incentive to do
21 that but I'm not preventing transmission. So, there's no
22 real public health benefit.

23 MR. CINTRON: Your Honor, may I confer with fellow
24 counsel for a minute?

25 THE MAGISTRATE: Of course, of course.

1 MR. CINTRON: Your Honor, we have no further cross
2 examination questions for Dr. Hay. Thanks very much,
3 doctor.

4 THE MAGISTRATE: Okay. Well, may we excuse Dr. Hay?

5 MR. SHAPIRO: I just have a couple of questions on
6 redirect if that's okay, Your Honor.

7 THE MAGISTRATE: All right, briefly.

8 MR. SHAPIRO: Yes.

9 REDIRECT EXAMINATION

10 BY MR. SHAPIRO:

11 Q Dr. Hay, you were just talking about the relationship
12 of vaccination to viral transmission. That's not, what you
13 were talking about there was not based on your research or
14 your expertise. You were repeating what you're reading from
15 other scientific sources, is that correct?

16 A And from the fact that I've been carefully examining
17 FDA labels and indications for over three decades and I know
18 what -- if there's something that's indicated there, I know
19 what that means. If there's something that's not indicated
20 there, I know what that means and the FDA has not indicated
21 that these vaccines reduce transmission of the virus.

22 Q But your role as -- your expertise, your long history
23 in public health economics, that doesn't teach you about
24 identifying viral pathways and other kind of clinical
25 diagnosis of individual patients with regard to their

1 presentation of diseases, is that correct?

2 A That's correct.

3 Q So, you're making your conclusions, not based on
4 individual cases but on what you read from the data more
5 broadly based on what doctors report, based on what labs
6 report, that sort of thing. Is that right?

7 A And also the FDA itself.

8 Q Okay and finally, the colloquy that you had with Mr.
9 Cintron about the testing and the numbers of cycles that
10 potentially have false positives from cycling too many
11 times, if there are errors of that sort in Puerto Rico, that
12 means that Puerto Rico's case reporting is, even though it's
13 already low with respect to U.S. jurisdictions, in reality
14 it might be lower still. Is that right?

15 A Yes.

16 Q Regardless -- this is my final question. Regardless of
17 any public benefit from vaccines, is there a point of
18 having, is there any point of having a mandate for vaccines
19 given Puerto Rico's high vaccination rate and low level of
20 viral spread, hospitalization and death?

21 A No, for all the reasons I've said in my testimony.

22 MR. SHAPIRO: Nothing further, Your Honor.

23 THE MAGISTRATE: Thank you, Dr. Hay for your time
24 and your testimony. You're now excused.

25 THE WITNESS: Thank you, Your Honor.

1 (Witness excused)

2 MR. BAUERMEISTER: Your Honor, a housekeeping
3 matter, I said I'm shifting some of the witnesses'
4 testimony. I was wondering what time does Your Honor expect
5 to end the Court session today?

6 THE MAGISTRATE: Well, although ordinarily I would
7 run from 9:00 to 5:00, in all candor, because of the number
8 of witnesses and because I have already given a heads up to
9 the attorneys that next week I'll be handling on call
10 emergency duty matters here in the courthouse, I might push
11 it a little bit after five. Okay.

12 So, but certainly we're not going to be here after 6:00
13 p.m. That I can assure you of.

14 MR. BAUERMEISTER: We have one of our witnesses,
15 Your Honor, lives in California. That's a four hour
16 difference which, of course, it makes it a little hard for
17 us to coordinate in terms of the Court's schedule for Puerto
18 Rico time.

19 THE MAGISTRATE: Well, let me put it to you this
20 way as politely as I can. Whatever inconvenience may cause
21 to your witness in California, I'm sure it's much less than
22 having to force him or her to get on a plane and get over to
23 Puerto Rico.

24 MR. BAUERMEISTER: Yes, I agree, Your Honor.

25 THE MAGISTRATE: So, either your witness wakes up

1 early in the morning or we have to hold court at 3:00 a.m.
2 in the morning and guess which one is my decision.

3 MR. BAUERMEISTER: Well, Your Honor, I guess what I
4 was bringing -- he would be available today and again at
5 4:30. We have another witness before him.

6 THE MAGISTRATE: Fine, fine. I mean, you decide
7 how you call your witnesses. I'm sure that you probably
8 have a better idea than I do more or less of how long do you
9 think those witnesses' testimony is going to be.

10 So, let's get on with your next witness. Who do you
11 want to call first or second or third, that's really, that's
12 your decision. All I can tell you is I don't mind going a
13 little bit past five. I don't have any problem about that
14 but I can assure this.

15 We're not going to go past 6:00 p.m., Puerto Rico time.
16 That I can assure you.

17 MR. BAUERMEISTER: Perfect, Your Honor. Thank you.
18 That will be enough for me to coordinate. Our next witness
19 will be Dr. Andy Boston and if Your Honor could give us a
20 two minute break so I can confer with Attorney Davila.

21 THE MAGISTRATE: Is this by video conference or is
22 this in person?

23 MR. BAUERMEISTER: Yes, Your Honor.

24 THE MAGISTRATE: By video conference?

25 MR. BAUERMEISTER: Yes, Your Honor.

1 THE MAGISTRATE: Okay. I'm not sure then I follow
2 you. Why is it that you need to exit the courtroom? I
3 mean, if he's available by video conference.

4 MR. BAUERMEISTER: No, no, to get Attorney Davila;
5 that he's in the Attorney's lounge and tell him to come here
6 and he's not answering my text.

7 THE MAGISTRATE: Okay, well, go ahead and do that
8 but I'm going to wait for you right here. I'm not even
9 stepping out of the bench. We need to get the ball rolling.
10 We still have a long list of witnesses here.

11 All right, you may go. I'm going to wait, I'm going to
12 wait right here.

13 MR. BAUERMEISTER: Do you know if Dr. Boston is
14 connected?

15 THE CLERK: Not yet, Counsel.

16 THE MAGISTRATE: Mr. Davila.

17 MR. DAVILA: Yes, Judge.

18 THE MAGISTRATE: You're ready?

19 MR. DAVILA: Almost. Could I borrow a stapler
20 real quick?

21 THE MAGISTRATE: You can ask Mr. Rodriguez for
22 those matters. You can call your next witness. Is your
23 next witness available? I was told that your next witness
24 is available by video conference, so.

25 MR. DAVILA: Yes, he's supposed to be logging in

1 shortly. I mean, he's already told me that he received the
2 link.

3 THE MAGISTRATE: Okay. Well, Counsel, again I'm
4 going to stay here in the courtroom but I'm just going to
5 stretch my back for a moment. You don't need to stand up.
6 I'm just simply stretching my back while we get the next
7 witness called.

8 If you have any additional Ids, please pre-mark them so
9 we don't waste time and show them to opposing counsel.
10 What's the name of your next witness?

11 MR. DAVILA: Dr. Andrew Boston.

12 THE MAGISTRATE: Dr. Andrew Boston. All right.

13 THE CLERK: I had a guest in my Zoom page but the
14 guest disconnected.

15 THE MAGISTRATE: Well, I'm assuming that he was
16 given the link, right, to connect?

17 THE CLERK: Yes, Your Honor. It said Zoom account
18 named Andy.

19 MR. DAVILA: Yes, that's him, Andy.

20 THE CLERK: I assume this is the witness. He's no
21 longer in the waiting room.

22 MR. BAUERMEISTER: Your Honor, since we're on
23 housekeeping matters, I know Your Honor mentioned that we
24 have a lot of witnesses. Just to inform that we're not --
25 there won't be that many witnesses.

1 THE MAGISTRATE: I believe you had announced more
2 or less but feel free to correct me if I'm wrong. I believe
3 you had announced about eight.

4 MR. BAUERMEISTER: Right and the factual
5 witnesses or total. There will be three less factual
6 witnesses.

7 THE MAGISTRATE: Okay. Well, again, it is not my
8 wish to rush you unnecessarily. I want to give both sides a
9 reasonable opportunity to present their case.

10 So, I'm just simply, frankly the reason why I'm trying
11 to be as efficient as possible with the use of time is, more
12 than anything else, out of courtesy to you, your opposing
13 counsel and the witnesses because next week I'm going to be
14 dealing with emergencies on call and as I said, that's going
15 to cause interruptions and delays for reasons beyond my
16 control.

17 So, I'm trying to maximize as much as we can the
18 presentation of the evidence this week and if we can get
19 started with the defense presentation of the evidence this
20 week, all the better but having said that, I don't want to
21 unnecessarily rush you.

22 I want to be reasonable in giving both sides a fair
23 opportunity to present your evidence.

24 MR. BAUERMEISTER: Thank you, Your Honor.

25 THE CLERK: Counsel, your witness is connected but

1 he's connected on tomorrow's VTC link. He needs to connect
2 to the VTC link of today, that we sent for today. I know
3 that I sent you earlier --

4 MR. DAVILA: I know but I sent you a correct one.
5 Sorry, I just got it now. Okay, I'm sorry.

6 THE CLERK: I sent a new one a couple of minutes
7 ago.

8 MR. DAVILA: But that's not the correct one.

9 THE MAGISTRATE: Every day has a different link.
10 Even though it's the same case, same proceedings but every
11 Zoom link is going to change day by day.

12 MR. DAVILA: I sent it to him and to you the
13 correct one. I don't know what else to do.

14 MR. DAVILA: To him to?

15 (Off the record)

16 MS. DIAZ: Your Honor.

17 THE MAGISTRATE: Yes.

18 MS. DIAZ: I made arrangements for an interpreter
19 for Monday but on Friday, my secretary to see if there's any
20 interpreter available for this Thursday just in case.

21 THE MAGISTRATE: Yes. Well, again my -- I would
22 suggest that you at some point confer with opposing counsel
23 because they can perhaps give you an idea more or less of
24 the number of witnesses that they'll be calling and how long
25 they think it's going to take but let me put it to you this

1 way. If we can get started with the defense presentation of
2 the evidence this Thursday, I'll get started with it because
3 we're going to -- I think it's going to benefit all sides if
4 we maximize the time that we have available this week.

5 MS. DIAZ: Yes, Your Honor, I understand. I
6 asked it for Monday because I was expecting the entire list
7 of witnesses but Counsel Davila gave me an order which just
8 changed.

9 THE MAGISTRATE: Okay.

10 MS. DIAZ: So, I'm asking my secretary to find me
11 an interpreter for Thursday.

12 THE MAGISTRATE: Great, great.

13 THE CLERK: I have their witness, Your Honor.

14 THE MAGISTRATE: It looks like the witness has
15 connected. All right, who's going to be conducting the
16 direct examination, Mr. Davila?

17 MR. DAVILA: Yes.

18 THE MAGISTRATE: All right, Mr. Davila. Who are
19 you calling now formally on the stand?

20 MR. DAVILA: The plaintiffs call Dr. Andrew Boston
21 as a witness.

22 THE MAGISTRATE: All right. Let's go ahead and
23 place the witness under oath.

24 (The witness was duly sworn)

25 THE MAGISTRATE: Dr. Boston, could you please state

1 your full name for the record.

2 THE WITNESS: Dr. Andrew Boston.

3 THE MAGISTRATE: Your witness, Mr. Davila for
4 direct examination.

5 Whereupon,

6 DR. ANDREW BOSTON

7 was called as a witness and after having been duly sworn,
8 was examined and testified as follows:

9 DIRECT EXAMINATION

10 BY MR. DAVILA:

11 Q Thank you, Mr. Boston. Can you state your name for
12 the record, please.

13 A Andrew Boston.

14 Q Dr. Boston, you were asked here to declare as an
15 expert, as an Epidemiologist. Can you please let the Court
16 know what are your qualifications, education and experience
17 as an Epidemiologist.

18 A Yes, I'm a trained Internist, Board Certified in
19 Internal Medicine and I also have a Master's from Barry
20 University in Epidemiology. My background is in clinical
21 trials and epidemiologic studies for the past thirty years.

22 Q Thank you.

23 MR. DAVILA: The plaintiffs move to qualify Mr.
24 Boston as an expert in Epidemiology.

25 THE MAGISTRATE: Any objection?

1 MS. DIAZ: No, Your Honor.

2 THE MAGISTRATE: Admitted as an expert in
3 Epidemiology.

4 BY MR. DAVILA:

5 Q Mr. Boston, given your experience as an Epidemiologist,
6 can you let us know how do you properly measure the efficacy
7 of a vaccine?

8 A Yes, like any other, like any other therapeutic,
9 whether it's a drug or vaccine or so called nutraceutical,
10 it has to be evaluated within randomized placebo controlled
11 trials to properly measure the efficacy and it should
12 include, although this is problematic, it should include not
13 only short term prevention in the case of the vaccine of
14 infections but whether or not within the randomized trial
15 design you can demonstrate prevention of hospitalizations
16 and deaths.

17 Q What outcome should be measured in the randomized
18 placebo control trials?

19 A So, if we're talking specifically about Covid vaccines,
20 for example, it's important to look at the short term
21 prevention of infections but it's also important to look at
22 hospitalizations that are specific to Covid and deaths that
23 are specific to Covid and in addition, in terms of
24 monitoring for potential adverse effects, all course of
25 mortality would be important to evaluate as well.

1 Q Okay. Do you know of any randomized control trial
2 measuring the efficacy of the vaccine?

3 A Oh, yes, there are randomized control trials which were
4 short term and looked at the prevention of infections,
5 particularly with the MRA vaccines but with other vaccine
6 platforms as well. The annual virus specter vaccines and
7 they did indeed show that compared to placebo injection,
8 over the short term, so typically two to three months, there
9 was a significant reduction in infections and symptomatic
10 infections.

11 What's glaringly absent from all these trials are
12 comparable data showing that the vaccines prevented,
13 again within the randomized control trial design, you have
14 other forms of evidence, but within the randomized control
15 trial design, what's glaringly absent are any data on
16 whether or not the vaccines prevented hospitalizations and
17 deaths.

18 In fact, there was extended follow-up of the two
19 largest trials, the Pfizer MRA vacs trial and the Moderna
20 MRA vacs trial. In those, the six month follow-up data
21 did monitor for all cause mortality and when you pool the
22 data from those two studies, so you're now dealing with
23 close to seventy thousand subjects, there was actually no
24 difference between the placebo group and the vaccinated
25 group in terms of all cause mortality.

1 So, a good inference from that is that there was no
2 excess of all cause mortality, so within the confines of
3 these two trials, it doesn't look like there was an untoward
4 number of adverse events that resulted in death.

5 The flip side to that, however, is that there was no
6 improvement in mortality despite the fact that both trials
7 were conducted, you know, in the middle of a pandemic. So,
8 that it leaves unaddressed whether or not within a
9 randomized control trial design and again, the most, the
10 gold standard design, whether the vaccines actually prevent
11 death. That's all we can conclude.

12 MR. DAVILA: Should I continue or --

13 THE MAGISTRATE: You may continue. At least I can
14 see the witness well and I can hear him well.

15 BY MR. DAVILA:

16 Q Do you think that unvaccinated people spread Covid 19
17 more than vaccinated people?

18 A There may be a period where that's true. In other
19 words, if you're comparing in time, peak vaccinated people
20 to comparable individuals who are matched and unvaccinated
21 and I think the randomized trials showed us that. That for
22 a short period of time at peak vaccination, that may be
23 true.

24 However, in the real world, you know, we're now
25 confronted with the so called, the parameter with so called

1 break through infections which accelerates after about two
2 months and really increases dramatically at about six months
3 and beyond.

4 So, again, it depends how you're defining, you know,
5 the protection but there's no question that within the
6 randomized control trials for a short period of time, it
7 looked as if the vaccinated people certainly got less
8 infections.

9 There's less known even in those trials about their
10 ability to spread but you can infer that if the less
11 likely to get infected, that they'd be less likely to
12 spread, but again, we have to realize the limitations of
13 this data. They're short term data.

14 Q Are you aware of a prison study where CDC funded a
15 study on -- I'm sorry about that. So, do you know of --
16 when you talk of viral loads, do you know any study that
17 says that vaccinated and unvaccinated individuals with Covid
18 have the same viral loads?

19 A I believe you are alluding to a study that the CDC or
20 sponsored through the CDC in the prison system which
21 suggested that the viral loads certainly is measured by a
22 surrogate called the cycle threshold were comparable and
23 seemed to decay at roughly the same rate as people
24 convalesce, as people got better in the vaccinated and
25 unvaccinated persons.

1 I'm not -- I don't believe that that study actually
2 looked at what's called secondary, you know, transmission
3 during the course of the study but the inference was that,
4 well, if the viral loads are peaking about the same level
5 and then they decay at about the same level, that there's
6 probably not a big difference between infections spread by
7 the vaccinated versus the unvaccinated once both groups are
8 infected.

9 Q When you mention decay, what do you mean by that?

10 A Decay in terms of the robustness of the immunity that
11 the vaccine is providing or conversely in the case of a
12 natural infection. It tends to wane over time particularly
13 when the wane is fairly acutely with the vaccines, they
14 confer a fairly narrow spectrum of immunity.

15 Q Did the study mention anything about how long does a
16 person that's vaccinated versus non-vaccinated has Covid?

17 A Are you talking about the prison study?

18 Q Or may transmit Covid. Yeah, about that study.

19 A I don't think that -- if I recall properly, I don't
20 believe that that study addressed that specific issue. It
21 really just looked at how the viral loads were comparable
22 at peak infection and seemed to decline at about the same
23 rate.

24 Q Okay. I just wanted to clarify that. All right. How
25 does natural immunity compare to vaccination?

1 A So, we have some direct comparison data. Probably
2 still -- it's a few months old now but the best data we have
3 come out of Israel. They looked -- they were really
4 covering the Delta period, the period where the Delta
5 variant became the predominant variant in Israel and these
6 data were originally published at the end of August and the
7 reason I think this is a very important study is it came
8 from a very rich health maintenance organization data base
9 in Israel. I believe it was Maccabi and they did a lot of
10 matching on the vaccination status, the prior infection
11 status and they were able to adjust for very important
12 comorbidities which could contribute to the outcomes,
13 particularly infection hospitalization.

14 So, that kind of made it unique and with matching the
15 previously infected versus the vaccinated individuals for
16 the time of the first event, which means when they were
17 first infected fully vaccinated. So, matching for that time
18 period, there was a thirteen fold increase risk for
19 asymptomatic infection, just testing positive again in or
20 testing positive period in those who were vaccinated versus
21 those who were not vaccinated but had a prior infection and
22 there was a twenty seven fold increase risk for clinical or
23 symptomatic infection and an eight fold increase risk for
24 hospitalization.

25 So, the point was that with this very careful matching,

1 it looked like a prior infection or so-called natural
2 immunity conferred a significant reduced risk for
3 asymptomatic infection, clinical or symptomatic infection
4 and hospitalization and these were very significant
5 differences again. Thirteen times reduced for asymptomatic
6 infection, twenty seven times reduced for clinical or
7 symptomatic infection and eight times reduced for
8 hospitalization.

9 They did a less matched analysis, so in other words the
10 person could have been infected going all the way back to
11 say one of the earlier waves in Israel versus vaccinated
12 individuals who obviously were vaccinated in a later period
13 without matching for this time of first infection, first
14 vaccination and even there, while the benefit conferred by
15 natural immunity was reduced, they were still one sixth as
16 likely to have asymptomatic infection, one seventh as likely
17 to have a clinical or symptomatic infection and about six
18 and a half times less likely to have hospitalization.

19 So, I think these are very significant data. There's
20 more recent data from healthcare workers in the UK just
21 looking at recent infection which doesn't have the same sort
22 of, you know, careful matching but which basically says that
23 having a previous infection and not being vaccinated, if
24 you're a healthcare worker, you know, healthcare workers are
25 constantly exposed.

1 There was really no benefit to vaccinating those people
2 that they could demonstrate and certainly compared to
3 vaccinated but infection naive people, they did at least as
4 well.

5 Q Thank you, Dr. Boston. You just mentioned started from
6 the UK healthcare workers. I'm going to show you a document
7 on the screen. Let me know if you can see it.

8 THE MAGISTRATE: Which Id is this?

9 MR. DAVILA: That would be 17 Id, right?

10 THE MAGISTRATE: Mr. Rodriguez.

11 THE CLERK: Yeah, it should be. The next exhibit
12 is number 17.

13 THE MAGISTRATE: Well, it's going to be an Id. It
14 hasn't been admitted yet. Okay, so, Id 17.

15 BY MR. DAVILA:

16 Q Okay, is that the name of the study that you mentioned?

17 A Yes, this is in the UK healthcare workers. Yes, it's
18 a recently released pre-print from something called the
19 Siren Prospective Cohort.

20 Q All right. I'm going to show you another page.

21 THE MAGISTRATE: What's this, page two of Id 17?

22 MR. DAVILA: Your Honor, it's the last page. I
23 didn't include the whole study. It's only the results or
24 part of the results, the relevance and the abstract of the
25 study but what we're interested is in the results.

1 THE MAGISTRATE: Okay, so all right, so this is not
2 really -- Id 17 is not the entire study, just some selected
3 pages.

4 MR. DAVILA: Selected pages. It's the title, the
5 abstract and the results.

6 THE WITNESS: Okay, so, I'm seeing now table 3.
7 Okay, so what I was referring to was you always have to have
8 a reference group or (Inaudible) group and if you go down
9 and it says unvaccinated and then you see naive, that's the
10 group that it's being compared to for all the subsequent
11 comparisons.

12 So, those people were not vaccinated. Eventually the
13 entire cohort pretty much gets vaccinated but over the
14 various segments of follow-up, time follow-up, initially you
15 have this group that you can refer to as unvaccinated with
16 no history of prior infection and that's the reference group
17 for all these comparisons and what you see is that if you
18 look at people who were like them at the outset except had a
19 history of prior infection, there is significant reductions
20 in their tendency to contract new infections, you know,
21 absent any vaccination.

22 Then they break down the data according to whether the
23 healthcare workers were vaccinated with a single dose, with
24 a full dose regimen and you can see that in the vaccinated
25 who have no history of prior infection, they're clearly not

1 doing any better than all the various groups of the
2 unvaccinated who have a history of prior infection which
3 dates back longer and longer in time.

4 So, the point is that, I guess the overall take home
5 message is that regardless of what we're looking at, whether
6 it's, you know, a cohort data like this, whether it's data
7 coming in from a dashboard in my state of Rhode Island or in
8 your territory of Puerto Rico, if we simply compare, you
9 know, the unvaccinated, whether or not you pooled them with
10 a partially vaccinated to the fully vaccinated, we're
11 missing a really critical piece of evidence, which is what
12 is the impact in all those groups, not just the
13 unvaccinated, not just the vaccinated, in all those groups,
14 the unvaccinated, the partially vaccinated, the fully
15 vaccinated.

16 What is the impact in each of those groups of having a
17 prior infection? You're really in the dark if you're
18 simply, you know, making these lump comparisons between
19 vaccinated and unvaccinated in the absence of knowing
20 whether or not there was a history of prior infection in
21 people also referring to that as natural immunity. That's
22 really the critical point here.

23 I've seen this firsthand in my own state of Rhode
24 Island where I've been getting updates through the
25 Department of Health of the -- during the Delta wave peaks

1 up here and the updates have been completed from July to
2 October and what I can tell you is that in those four
3 months, we had a total of 1,470 hospitalizations.

4 Now they don't break them down. They're lumped
5 together with or from Covid. Similarly the deaths are with
6 or from Covid. In other words, it's just a positive test.
7 It doesn't necessarily mean that the admission was truly for
8 Covid.

9 It could be an incidental positive but regardless,
10 those are the data that we have and if you look, if you look
11 at the groups and look at the fully vaccinated versus the
12 not fully vaccinated, by the way, that's the way they
13 partition things. They only separate -- if you're partially
14 vaccinated, you're considered unvaccinated. You were not
15 fully vaccinated.

16 If you look at the outcomes, particularly for
17 hospitalizations and deaths, you can see that it's almost
18 irrelevant if the person was vaccinated or not relative to
19 the information you gleaned from knowing whether or not they
20 had a prior infection.

21 So, just to quickly summarize, there is one seventh the
22 rate of hospitalization and one tenth the rate of death.
23 Comparing those with natural immunity to Covid 19,
24 regardless of vaccination status to those who are fully
25 vaccinated.

1 Q Dr. Boston, just to make sure. You're referencing the
2 exhibit, the Id, you're not, right, right now?

3 A No, no, no. I'm talking specifically about -- I'm not
4 referring to this study. I was just sort of using it as a
5 segway to how important it is to understand regardless of
6 whether you're looking at vaccinated or unvaccinated people,
7 whether or not they have a history of prior infection or
8 natural immunity, giving you example from raw data from my
9 own state.

10 Q Okay, yeah. I just wanted to ask the Court to admit
11 the exhibit before you continue your testimony.

12 MS. DIAZ: I have an objection, Your Honor. This
13 is not the entire document and based on the totality of the
14 evidence, the Court should be able and we should be able to
15 examine the entire document if it would be admitted as an
16 exhibit.

17 MR. DAVILA: I have the entire study. I could
18 present it as well.

19 THE MAGISTRATE: Well, then if you have the entire
20 study, then why don't you mark as Id 17 the entire study and
21 then we'll have that one admitted into evidence. Okay.

22 Please show it to opposing counsel and then what we'll do
23 is rather than having a document that has only a few pages
24 of the study, let's have the one that has the complete study
25 marked as Exhibit 17. Once that's done, admitted as Exhibit

1 17, the one that contains the whole study.

2 (Plaintiff's Exhibit 17 was
3 admitted into evidence)

4 THE MAGISTRATE: So, in a nutshell, Counsel Diaz,
5 your objection is sustained but now that the full study has
6 been provided and is being replaced, has replaced what was
7 originally submitted as Id 17 and admitted as Exhibit 17.

8 BY MR. DAVILA:

9 Q So, Dr. Boston, you were mentioning about the situation
10 in Rhode Island right now. I think, was it through
11 infections as well? If you can mention your knowledge about
12 that.

13 A Yes, yes. So I was reviewing data that ended at the
14 end of October and the focus was, you know, just for
15 emphasis was on the clinical outcome, the relevant clinical
16 outcomes, the hospitalizations and the deaths. Again the
17 caveat being that we don't get it broken out unfortunately
18 whether these are hospitalizations or deaths with or from
19 Covid. They're just lumped together.

20 You know, we can assume that the majority of them are
21 from Covid but we don't have, we don't have the actual
22 breakdown but what my point was that it looked like, again
23 if you're simply trying to compare the vaccinated and the
24 unvaccinated or the fully vaccinated and the partially
25 vaccinated plus the unvaccinated pool, you're missing a lot

1 of information, critical information without knowing whether
2 they had a history of prior infection and I could tell you
3 that the most recent data from the Rhode Island Department
4 of Health website, which you can extract right off the
5 website, covered the period of the week ending November 27
6 and during that week, forty one percent of the infections
7 were coming from the fully vaccinated pool in Rhode Island.

8 So, again it's -- and that information we don't have
9 any update yet on how do you break that down further by
10 whether or not there was a history of prior infection. But
11 judging from what happened in the four months preceding, you
12 would say that a very small percentage of those infections
13 would be occurring amongst people with a history of prior
14 infection regardless of vaccination status.

15 So, that was just the points -- those were just the
16 points I wanted to make.

17 Q Dr. Boston, I'm going to show you another Id.

18 THE MAGISTRATE: Id 18?

19 MR. DAVILA: Yes.

20 BY MR. DAVILA:

21 Q Are you able to read that?

22 A It looks like. Is this input, yes, okay, so I know
23 this website. Okay, so that's reassuring. Yes, this is the
24 Covid Austin website, which is for background it's actually
25 a very useful site. It was supported by the CDC but the

1 data or actually inputted and analyzed by Epidemiologists
2 from Harvard, Yale and Stanford, so, you know, respectable
3 institutions and they try and give estimates to various
4 infection related indices and this one from what I can
5 gather is the percent ever infected. Now, I'm assuming, I
6 can't see it. Is this for Puerto Rico?

7 Q Well, you can see here, up here it says PR, so, yes.

8 A Okay. That would be Puerto Rico.

9 THE MAGISTRATE: Maybe you can zoom in if you'd
10 like to try to make it easier on the witness so that he can
11 see -- I believe that -- Doctor, I believe that counsel is
12 trying to direct you to the upper left-hand corner, there is
13 like --

14 THE WITNESS: Yes, I see it. It says \PR, so
15 that's Puerto Rico. Okay.

16 BY MR. DAVILA:

17 Q It's really verifiable that it says Puerto Rico. The
18 thing is that it was a print screening and I'm having issues
19 with the -- okay, so look at the percentages that are in the
20 left side and now please look at the line --

21 A Right, so it looks about a third or thirty percent
22 based upon their estimate in Puerto Rico are assumed to have
23 been infected at one point during the pandemic. So, that's
24 a sizeable number.

25 Q Okay. Does this number, does the amount of testing in

1 a given jurisdiction could affect this number?

2 A Oh, certainly, yes. So, in other words, my state is
3 obsessive compulsive about testing. So, I can tell you.
4 I've become very familiar with the data from my state. On
5 the same scale and you can, if you wanted to, you can do it
6 in real time and just go into the website.

7 The last I checked I believe that about sixty three or
8 sixty four percent of Rhode Islanders are believed to have
9 contracted Covid at one point and there are, you know,
10 states like Florida is even higher, especially after the big
11 Delta wave they had over the summer.

12 So, yeah, if the territory of Puerto Rico is less
13 compulsive about testing and I'm not saying that they should
14 be more compulsive about testing, then, yeah, you can
15 significantly affect your ability to detect infections and
16 then add them into this matrix and come out with a result.

17 MR. CARDONA: Before we proceed, Your Honor,
18 plaintiffs move to admit this as Exhibit 18.

19 THE MAGISTRATE: Any objection?

20 MS. DIAZ: Your Honor, other than the upper left
21 part, maybe "que se puede estimar" that is from Puerto Rico.

22 THE MAGISTRATE: I'm sorry, I need you. You're on
23 the record. I need you to address the Court in English.

24 MS. DIAZ: Maybe tries to suggest that this is from
25 Puerto Rico. There's no indication that the data used here

1 comes actually from Puerto Rico.

2 THE MAGISTRATE: Well, this sounds to me like
3 that's fair grounds for cross examination and you may
4 address the witness as to that particular matter.

5 MS. DIAZ: Yes.

6 THE MAGISTRATE: Admitted, Exhibit 18.

7 (Plaintiff's Exhibit 18 was
8 admitted into evidence.)

9 MR. DAVILA: Regarding that particular matter, Your
10 Honor, it's easily verifiable if you go to the website.

11 THE MAGISTRATE: Well, again but you're not
12 testifying, the doctor is. So, next question. Having said
13 that, however, Counsel Diaz, fair grounds for you to address
14 the witness with questions regarding that particular
15 concern.

16 BY MR. DAVILA:

17 Q Now we're going to show you another exhibit. It's a
18 print screen from the CDC website which is going to show you
19 here. Can you read that on top?

20 A It looks like it's saying Cumulative tests performed
21 per one hundred thousand.

22 Q Yes, by state, territory, right?

23 A Right. I see Rhode Island. Yeah, well, not surprised
24 that my state is at the top.

25 Q Yes, it is at the top. So, you were right about that.

1 Now, let's go to the bottom. So, would it be correct from
2 the states that are reporting or territories, Puerto Rico is
3 the last one?

4 A Right.

5 Q Is that what it says there?

6 A That's what I can see.

7 MR. DAVILA: Your Honor, we move to Admit this as
8 Exhibit 19.

9 THE MAGISTRATE: Any objection?

10 MS. DIAZ: No objection.

11 THE MAGISTRATE: Admitted, Exhibit 19.

12 (Plaintiff's Exhibit 19 was
13 admitted into evidence)

14 BY MR. DAVILA:

15 Q So, Dr. Boston, considering the discrepancy or not a
16 discrepancy, the difference in testing between Rhode Island
17 and Puerto Rico, which are first and last, how do you think
18 that would affect the estimate in the previous exhibit with
19 the Covid estimate for Puerto Rico?

20 A I think it would raise the prevalence of a prior
21 infection in Puerto Rico potentially by a lot. Now, my
22 state is very densely populated but there are small rural
23 areas.

24 I assume, you know, Puerto Rico also has areas of
25 dense population and rural areas but I think it is, it is

1 largely going to be -- not largely, it is going to be a
2 significant function of testing, particularly when you see,
3 you know, a striking discrepancy like that between the most
4 heavily tested state per capita and Puerto Rico being
5 basically at the bottom list of the U.S. states and
6 territories.

7 Q So, but do you think that that could affect the
8 estimate of percent of people infected, meaning could that
9 maybe if there would be more tests, perhaps the Covid
10 estimate would show higher?

11 A Oh, absolutely, absolutely, yeah.

12 Q That would mean that more people have natural immunity.

13 A Right, so I would take the roughly thirty percent,
14 roughly one third as kind of a low ball estimate for Puerto
15 Rico. It could be considerably higher. It could be forty
16 or fifty percent easily.

17 Q Okay, when assessing quantitatively the risk benefit
18 reachable for any therapeutic intervention, what numerical
19 standards are employed?

20 A So, we have these concepts, the number needed to treat
21 and the number needed to harm, to provide quantitatively
22 estimates and, so, you really can take their ratio to
23 estimate benefit risks or risk benefit ratios.

24 So, the number needed to treat is telling you how many
25 people have to be treated or we could call it a number

1 needed to vaccinate if you wanted to in terms of vaccines.
2 The number needed to vaccinate to prevent one case, in this
3 case a Covid or if you had the data to look at how many you
4 would need to vaccinate to prevent one hospitalization due
5 to Covid but, you know, typically, you know, certainly from
6 the randomized trial, we don't have the latter information.

7 We don't have the hospitalization information. We
8 don't have the death information for Covid but we do have
9 the number, we can calculate the number needed to treat in
10 terms of preventing infections and then the number needed to
11 harm would be, you know, how many adverse reactions, the
12 serious ones that you get when you vaccinate a certain
13 number of people and the only way these values have any
14 meaning is when you have for either, for harmful benefit, is
15 when you have some sort of a control group.

16 So, you can't just, you know, you have to be able to
17 say how many people were treated and you prevented an
18 infection versus a control group, how many were vaccinated
19 and you had an adverse event with a comparison control group
20 and you look at the absolute differences and that's how you
21 calculate these things.

22 You can't calculate any of these things in the absence
23 of having a control group but they're based on absolute
24 differences between the groups and so when you go back to
25 say to the Israeli data, to prevent an asymptomatic

1 infection relative to, compared to the vaccinated and the
2 previously infected, you'd need -- they did have a sub-group
3 where they were able to look at people who had a previous
4 infection and then were vaccinated or not.

5 It was in their data set. You could look at that and it
6 turned out that in that group, if there's any benefit at
7 all, it's really minute but if there's any benefit at all,
8 you would need to vaccinate 833 people to prevent one
9 asymptomatic infection. That's because the absolute risk
10 reduction was so low.

11 They didn't have any data on hospitalization. Okay, so
12 you would have to -- if everyone had a history of a prior
13 infection, you'd have to vaccinate 883 to prevent one
14 asymptomatic infection. It's also of dubious value.

15 If you look at the data on healthcare workers from the
16 United Kingdom, it's an earlier study than the one that we
17 discussed. They were looking here specifically at
18 healthcare workers who were vaccinated, who had a history of
19 prior infection versus those who did not and it turned out
20 that there was an increase risk for having moderate to
21 severe symptoms if you had a history of prior infection and
22 you were vaccinated.

23 So, if you look at the number needed to harm there in
24 terms of vaccination, it was about eleven. So, again it's
25 kind of mixing and matching but if you want to look at a

1 number needed to treat and compare it to a number needed to
2 harm and you compare the two studies, the Israeli study and
3 a prior study of UK healthcare workers, it would basically
4 just be, you know, 833 divided by eleven.

5 So, what that's telling you is that to prevent one
6 asymptomatic infection, you might cause eighty moderate to
7 severe symptoms, including fever, fatigue, myalgia,
8 arthralgia and lymphadenopathy.

9 The caveat to this I would say is that, I mean it's
10 important, that these are important data to give us
11 perspective but they're coming from basically healthy
12 populations. This is really HMO cohort. This UK healthcare
13 worker cohort but it does emphasize a critical point where
14 you have a low risk overall population.

15 The number needed to treat over the number needed to
16 harm ratio and the benefit risk ratio becomes problematic,
17 you know, again basically in these healthy cohorts you're
18 looking at causing eighty, you know, moderate to severe
19 reactions as a cost of preventing one asymptomatic
20 infection.

21 Now this whole calculus might be entirely different if
22 you simply were looking at, for example, a nursing home
23 population where, yeah, they may have more adverse reactions
24 to the vaccine given their burden of comorbidity and just
25 their age.

1 However, the risk of dying from Covid is so much higher
2 than the general healthy population that you could have an
3 entirely different risk benefit ratio but I think, you know,
4 the lack of this kind of data being applied to real world
5 situations is a glaring deficiency of what we have before
6 us.

7 In other words, we're applying these broad strategies
8 without stratifying, without narrowing down to the
9 particular populations where the risk is highest and,
10 therefore, the risk of Covid, serious Covid is highest and,
11 therefore, any adverse affects of the vaccine would be
12 likely to be outweighed.

13 So, I just think there's a very useful way in general
14 to look at rational strategies for applying the vaccine.

15 THE MAGISTRATE: So, Doctor, would it be a rational
16 strategy then to impose a mandate only on selected high risk
17 portions of the population?

18 THE WITNESS: I would put it to you this way, Your
19 Honor. I have a mom who's 95 years old now and, you know,
20 we've been managing with her outside of a nursing home but
21 if we felt that it was just no longer tenable and she had to
22 go into a nursing home in Florida where she lives and that
23 nursing home said, "you know, we'd love to house your mom
24 but she's not getting in here without a vaccination." To
25 me, that would be, you know, an understandable policy along

1 the lines of what your question is getting at, at least the
2 way I understand your question.

3 THE MAGISTRATE: So, for example, going along the
4 lines of the example you have given, then would it be
5 rational to have a government mandate to require vaccination
6 on nursing homes?

7 THE WITNESS: That, again, I can only answer the
8 question in terms of whether it's reasonable for a nursing
9 home given the high risk of that population and the
10 potential benefits of the vaccine for the nursing home to
11 say that that's their policy.

12 It's a whole separate question which is, you know,
13 beyond me as a non-lawyer, non-politician to say that the
14 state should require that. In other words, it might be
15 equally effective to leave that up to the nursing home. I'm
16 just trying to be honest. I just can't answer that.

17 I think it's perfectly reasonable for a nursing home to
18 have that policy, whether it needs to be mandated by the
19 state is a whole separate, you know, legal, ethical question
20 that I don't really feel, you know, capable of addressing
21 and I can see different points of view.

22 THE MAGISTRATE: Next question, Counsel.

23 BY MR. DAVILA:

24 Q So, just for our final questions, just assume these
25 stats are, the ones that I'm going to tell you are correct.

1 When the population over 12 years old, more than eighty
2 percent has been vaccinated, if admissions to hospital are
3 three in the, around three in seven day moving average and
4 there's ample and there's more available beds in hospitals
5 or the bed availability in hospitals has remained around
6 forty percent throughout the whole pandemic, you think the
7 risk benefit analysis for Puerto Rico would justify a
8 mandate?

9 A A vaccine mandate for the remaining small percentage
10 of people who are not vaccinated, it doesn't sound like it
11 but again I'd also like to know what percentage of the
12 population who aren't vaccinated have a history of prior
13 infection.

14 You know, my philosophical vent is not to mandate if at
15 all possible but I also think that you're shooting in the
16 dark when you simply use these crude, you know, vaccinated,
17 unvaccinated metrics.

18 I really would like to know what the actual immune
19 status of the person is, you know, and you know to make that
20 -- to even begin to address that question.

21 Q I agree completely with you that I believe the Puerto
22 Rico Health Department should make that information
23 available.

24 MS. DIAZ: Objection, Your Honor. Objection, he's
25 not the witness.

1 THE MAGISTRATE: Counsel.

2 MR. DAVILA: I apologize.

3 THE MAGISTRATE: Sustained. I believe you know
4 that that sort of statement is improper. You're here to ask
5 questions.

6 MR. DAVILA: I apologize, Your Honor. I'm trying
7 to show the witness a document that has already been
8 admitted.

9 THE MAGISTRATE: Fine, if you need to retrieve any
10 of the exhibits, feel free to approach the lower bench and
11 retrieve any exhibits from the Courtroom Deputy Clerk.
12 So you can use them. You may approach the lower bench so
13 you can use whatever exhibits you need.

14 BY MR. DAVILA:

15 Q Dr. Boston, this is an exhibit that was admitted. It
16 shows the Covid vaccination coverage by age group. Can you
17 look at it, evaluate it and then answer the same question
18 but taking into consideration this exhibit.

19 A Right, so it looks like there's very high vaccination
20 coverage. Is this full vaccination, partial vaccination?

21 Q I believe it is full vaccination.

22 A Full vaccination, right, so it's very high coverage.

23 I don't see what's going to be accomplished by pushing it
24 any higher than this and again, certainly in the absence of
25 knowing those who are unvaccinated who may have at least as

1 robust if not more robust immunity from natural infection.

2 MR. DAVILA: All right, nothing further, Your
3 Honor. Thank you very much, Doctor.

4 THE MAGISTRATE: We're going to proceed now with
5 the cross examination. Counsel Diaz, if you need any of the
6 exhibits for your cross, feel free to approach the lower
7 bench and retrieve any exhibits that you may need.

8 MR. DAVILA: Actually, Your Honor, may I ask one
9 last question?

10 THE MAGISTRATE: Well, technically you concluded
11 your examination but fine, go ahead and ask your question.

12 MR. DAVILA: I'm sorry, it's just hard to confer
13 with brother counsel in this situation. Thank you.

14 BY MR. DAVILA:

15 Q One last question. Do you believe that we have reached
16 herd immunity with the vaccination coverage that we just
17 showed you?

18 THE MAGISTRATE: Who is we?

19 MR. DAVILA: Puerto Rico.

20 THE WITNESS: It's very hard. It's very hard to
21 say because it's a subtle balance that could be upset, you
22 know, by a new variant, by vaccine waning. I think in terms
23 of what you're -- if I'm recalling correctly, your current
24 metrics are in terms of hospitalizations and deaths, I think
25 you're at a reasonable equilibrium.

1 Q Good afternoon, Dr. Boston.

2 THE MAGISTRATE: You may proceed.

3 MS. DIAZ: Attorney Idza Diaz Rivera for the
4 record, Your Honor.

5 BY MS. DIAZ:

6 Q Good afternoon, Dr. Boston.

7 A Good afternoon.

8 Q Dr. Boston, you were testifying regarding natural
9 immunity of those people who already have been infected with
10 Covid 19. My question is, is it your testimony that people
11 with natural immunity are better protected from Covid 19
12 than those who have immunity from the vaccine?

13 A Yes, clinically I think that's pretty well established
14 now based on the data that we have coming out of Israel,
15 coming out of Kuwait, coming out of UK. As I mentioned, I
16 see some evidence of that here in Rhode Island.

17 Q For a longer period of time than the immunity obtained
18 by the vaccine?

19 A Yes, it looks to be more enduring, more flexible, more
20 robust and broader. In other words, and the rationale for
21 that, apart from the clinical and epidemiologic data, the
22 rationale for that is that the immune response is to the
23 whole virus. It's not just to the spike protein which is
24 what's being presented via vaccination.

25 So, it makes sense. It's also exactly what is the

1 experience with flu epidemics and pandemics and seasonal
2 outbreaks, which is that the immunity conferred by gradually
3 developing resistance to various flu strains through
4 infection is more robust and more controlling in terms of
5 preventing future masks, outbreaks and pandemics.

6 So, the outbreaks and pandemics then flu vaccination
7 campaigns. So this is pretty well established in clinical
8 infectious disease medicine.

9 Q That would be natural immunity by itself, correct?

10 A Oh, yeah.

11 Q Okay. Brother counsel showed you some documents from a
12 study that had been admitted as Exhibit 17 and this would be
13 the study posted pre-print in December 1, 2021.

14 A I don't see anything. Is there anything up there?

15 Q I'm going to show you.

16 A Okay, sorry, yes. I see that, so you're referring to
17 the UK Healthcare workers study?

18 Q Can you see that Dr. Boston?

19 A Yes, this is the UK Healthcare workers study.

20 Q Okay, right here is Dr. Boston, I'm going to refer you
21 to conclusions by this study that you make reference to,
22 that Counsel gave you.

23 A Yeah.

24 Q It reads, "infection acquired immunity boosted with
25 vaccination prevents high over a year after infection." So,

1 taken into consideration your testimony, this conclusion
2 would be wrong.

3 A No.

4 Q No?

5 A No, absolutely not. What they're saying is that you --

6 Q Let me finish the question.

7 THE MAGISTRATE: Hold on.

8 THE WITNESS: You can attempt to boost someone
9 who's been previously infected and you get a marginal
10 increase in their immunity but the only outcome they look at
11 is recurrent testing positive for mild symptomatic infection
12 where in the Israeli study, they actually looked at
13 hospitalization and in that study there was no advantage to
14 being both infected and boosted. I'm sorry, not boosted but
15 vaccinated and so, you know, it's impossible to tell without
16 having a clinical outcome.

17 That's why I think just looking purely at infections is
18 inadequate and that's also what I'm seeing in Rhode Island,
19 is that the real difference between natural infection and
20 vaccination has to do with clinical outcomes like infection
21 and death.

22 Q So, to continue with my question. Your previous
23 testimony as to natural immunity by itself being more
24 effective than vaccination, according to this study is not
25 correct.

1 A No, you're misinterpreting the study. They're talking
2 about natural immunity in people who are also vaccinated.
3 You're making the incorrect comparison. I'm sorry, Counsel,
4 you're just making a mistake.

5 The comparison that I highlighted because I think it's
6 more relevant than the subtle differences between
7 vaccinating people who are previously infected or not.
8 Again because the whole thing comes down to whether or not
9 the person was previously infected.

10 If you go back to the table that was presented, you
11 will see that if you look at vaccinated people who are
12 infection naive. In other words infect people who were
13 vaccinated but didn't have a previous infection, they're
14 doing worst than the people who were unvaccinated but had a
15 previous infection.

16 So, you're sort of cherry picking out a statement which
17 I think frankly is of dubious relevance and missing the
18 forest for the trees.

19 Q So the conclusion of this document is of dubious
20 relevance. Is that your current testimony?

21 A No, you're just not representing it properly. I'm sorry
22 to have to go over this. They're talking about -- I'm
23 talking about comparing infection naive vaccinated people to
24 unvaccinated people who have a history of prior infection.
25 That's the comparison that I was talking about. They're

1 talking about the potential marginal added benefit of
2 vaccinating people who have a prior infection. That's a
3 different and very controversial kind of argument.

4 Q But doesn't natural immunity come from people with
5 previous infection?

6 A Yes, but they're talking about vaccinating people with
7 a previous infection and getting a marginal and thus far
8 clinically irrelevant improvement in their outcome.

9 Q I'm showing you what has been admitted as Exhibit 18.

10 A So, these are the percent ever infected, yeah. So,
11 this is a Covid estimate. This is the Puerto Rican data on
12 their estimate of who is possibly having an infection over
13 the course of the pandemic in Puerto Rico and I believe the
14 estimate came to about thirty percent or so.

15 Q The fact is that you understand it's from Puerto Rico
16 because counsel told you it's from Puerto Rico. You haven't
17 seen this data before.

18 A Yeah, I actually have seen the data before. As a
19 matter of fact, I sent them to counsel.

20 THE WITNESS: Your Honor, is there any ability --
21 I know we're Zoom but this could be resolved very quickly by
22 going directly to the website in real time. Is that
23 possible or impossible? I don't understand.

24 THE MAGISTRATE: Well, I don't know the answer to
25 your question from a technical point of view but regardless,

1 we don't have live exhibits. You see, courts are behind the
2 times when it gets to technology. So, we need fixed
3 exhibits that could be printed website pages, that could be
4 sometimes recorded videos, et cetera, but to go to a live
5 web page, well, the problem with that from an evidentiary
6 point of view and I don't want to bore you with legal
7 technicalities.

8 THE WITNESS: No, no, that's fine.

9 THE MAGISTRATE: It's basically that although I am
10 sure that you're trying to suggest this with the best of
11 intentions, the problem is that web sites can be -- the
12 information on a web page can change. You know, web page
13 can be added, can be deleted, can be modified, can be
14 amended, can be supplemented and by going to a live web page
15 right now, then we would not have a fixed exhibit on the
16 record.

17 So, I'm sure that you mean it with the best of
18 intentions, Doctor, but unfortunately I don't think we can
19 do that from an evidentiary point of view. Whether from a
20 technical point of view that can be done in the courtroom, I
21 don't know but from an evidentiary point of view it creates
22 issues.

23 So, I'm going to respectfully decline but if you want
24 to elaborate as to how is it that you know, what is your
25 familiarity with the data, of course, you're free to do so.

1 THE WITNESS: Okay, I understand, Your Honor. It
2 was more of curiosity because sometimes I hope you like to
3 see the ability to resolve these issues in real time but I
4 understand the limitations.

5 My familiarity with that web site is really more in
6 reference to my own state, so they update every couple of
7 days and I'm always interested in seeing how they're
8 recalculating the number of people or the percentage of my
9 population that's been infected and so for the purposes of
10 today's, you know, testimony, just this morning I looked and
11 saw what the data was for Puerto Rico and I referred counsel
12 to the entry for Puerto Rico, so that's how I'm familiar
13 with it.

14 THE MAGISTRATE: Okay. Very well. Thank you.
15 Next question.

16 BY MS. DIAZ:

17 Q I'm showing you what has been marked as Exhibit 19.
18 You testified regarding the amount of tests performed and
19 Puerto Rico's location among those states, correct?

20 A Yes, if I -- yes.

21 Q The fact is that as we can see --

22 A Can you just read me the numbers for Rhode Island and
23 Puerto Rico?

24 Q I have a different --

25 THE MAGISTRATE: Well, I'm not sure. Counsel, do

1 you want the witness to see Exhibit 19 or not?

2 MS. DIAZ: No, it's that I have a different
3 document, what was given to me.

4 THE MAGISTRATE: Well, I don't know. I don't know
5 about that. I don't know what was given to you. All I know
6 is what has been marked as an exhibit.

7 MS. DIAZ: Which is which?

8 MR. DAVILA: I'm sorry, they look alike.

9 MS. DIAZ: We have the wrong exhibit marked.

10 THE MAGISTRATE: Well, I'm sorry but you cannot un
11 ring a bell. You know, if the witness testified already
12 with an exhibit, well, that's the exhibit. If you want to
13 seek to admit a new exhibit into evidence, well, you can do
14 that if you want to.

15 MS. DIAZ: Your Honor, I was going to cross examine
16 him regarding a specific fact in the document but I see that
17 what I was given is a different document. So, my question
18 is moot, Your Honor.

19 THE MAGISTRATE: Okay. All right. Go ahead,
20 Counsel.

21 MS. DIAZ: We have no further questions, Your
22 Honor.

23 THE MAGISTRATE: Okay. All right. Do you want to
24 redirect? Is that a yes or a no?

25 MR. DAVILA: Yes, yes. Can I confer with counsel

1 please?

2 THE MAGISTRATE: Briefly. Just give us a second,
3 bear with us, Doctor. The attorneys for plaintiffs are
4 conferring between themselves to see if they want to ask you
5 any additional questions. If not, then you'll be excused.
6 If yes, then you may have -- there might be a few additional
7 questions for you.

8 So, do you want to redirect?

9 MR. DAVILA: Yes.

10 THE MAGISTRATE: Go ahead.

11 REDIRECT EXAMINATION

12 BY MR. DAVILA:

13 Q To questions of brother counsel or sister counsel,
14 when she was showing you the study, you asked to be shown
15 the table. I'm going to do that for you. Table 3. So, if
16 I understand correctly, you were saying that the premise of
17 sister counsel was incorrect because she was comparing
18 natural immunity alone with vaccination plus natural
19 immunity.

20 A Yes. That's exactly my point.

21 Q So, could you direct us in this table to the
22 appropriate column and line where you want to make the
23 comparison.

24 A Right, so again I just want to go over, you know, what
25 I said earlier, is that if you go down, if you look at where

1 it says on the left, unvaccinated, then you go one row and
2 indent it to the right below that, it says naive. That
3 means people who are unvaccinated and don't, as far as the
4 study investigators can gather, don't have a history of
5 (Inaudible). That's the reference group, you go all the way
6 to the right under the column that says "adjusted absolute
7 protection against infection" and one line is the hazard
8 ratio, whatever. Okay, that's the reference for everything
9 in this table.

10 So, now if you go back under unvaccinated and look at,
11 you know, regardless of whether it's prior infection three
12 to nine months, nine to fifteen months, greater or equal to
13 fifteen months, and then stay in each of those rows and go
14 all the way across to the adjusted absolute protection
15 against infection column again and you see what they're
16 measuring here is called vaccine effectiveness. So, the
17 higher the number the better.

18 All three of those numbers regardless --

19 Q Dr. Boston, you said vaccine effectiveness or --

20 A Right, it's adjusted absolute protection against
21 infection. So, in this case it would be -- I'm sorry, it
22 would be, it would be either vaccine effectiveness or
23 natural immunity in this case effectiveness because it's
24 unvaccinated people.

25 So, if we look -- we're still under unvaccinated. If

1 we look at that basically the eighty five percent, then the
2 eighty five percent, then the seventy three percent, that's
3 the effectiveness whether it's three to nine months, nine to
4 fifteen months, greater or equal to fifteen months.

5 Now we go all the way down, for example, to the best
6 case scenario for vaccinated persons, which is vaccinated
7 dose two, but only naive. In other words, forget about
8 prior infection in this group. They're vaccinated.

9 If you look at the naive and then go all the way
10 across, it's sixty four percent effectiveness. So, that's
11 not as effective in any instance as it is regardless of the
12 time since infection in all the groups up under the
13 unvaccinated, you know, designation.

14 That was my point. If you now go up to vaccinated dose
15 one and look at the naive, and again, people who were never
16 infected but vaccinated at least with one dose, that
17 effectiveness is down to thirty five percent, which is much
18 lower than the values directly above it for all those
19 unvaccinated but previously infected, whether it's three to
20 nine months, nine to fifteen months earlier, you know,
21 greater.

22 That was my point and that, yes, there may be a
23 marginal benefit by the time you get down to particularly
24 the vaccinated dose two with a history of prior infection.
25 But that's not the point, you know. The point is what's

1 driving the relationship as of, again, as of seeing in real
2 time in my own state, is knowing whether or not there's a
3 history of prior infection. That was my whole point.

4 THE MAGISTRATE: Doctor, using that same chart that
5 you have just been shown, if we look at the last column to
6 the right which is adjusted of absolute protection against
7 infection and if we look at -- if we compare the
8 unvaccinated where it says, "prior infection after fifteen
9 months" and we compare it with vaccinated dose it says,
10 "prior infection more than fifteen months", it looks like
11 there is a difference of seventy three to ninety five
12 percent.

13 My question to you is, do you consider that gap or that
14 bridge to be statistically significant or not?

15 THE WITNESS: It could be for the isolated impact
16 of infection. The reason I have a caveat with that is that
17 it's a metric. Is it an important metric when we have data,
18 I know it's not the population, so maybe you can't really
19 compare them but at least we have the data from Israel where
20 the vaccinated with a prior infection versus the
21 unvaccinated with a prior infection, there was absolutely no
22 difference in terms of hospitalizations, which is a real
23 hard clinical measurement.

24 In other words, vaccinating people within the Maccabi
25 HMO who had a prior infection did not reduce their risk of

1 hospitalization relative to people who remained unvaccinated
2 but who had a history of prior infection. So, yes, there's
3 a potential here but again, Your Honor, I would just say
4 that you're really looking overwhelmingly at the impact of
5 prior infection with perhaps a marginal benefit in terms of
6 mild infection by adding a vaccine dose and, of course,
7 you're not getting any information on the flip side, which
8 is what cost does that come at, that small benefit, what
9 cost does it come at.

10 It's not addressed even in the Israeli HMO study which
11 was enormous or this healthcare workers study. They do not
12 provide you with any data on what the downside to that
13 strategy might be. You know, how many adverse events were
14 accumulating while you got that benefit in reducing mild
15 infection. They don't have that data at all.

16 THE MAGISTRATE: You're excused, doctor. Thank you
17 for your time.

18 THE WITNESS: Thank you.

19 THE MAGISTRATE: All right, Counsel. I believe
20 this might be a good moment to take a ten minute recess.
21 So, is your next witness also available for video conference
22 or in person?

23 MR. BAUERMEISTER: Well, Your Honor, about that.
24 Our next witness was supposed to require a translator and
25 because of the shifting on Friday, we're trying to see if we

1 can have our Friday witness who does not need an
2 interpreter, to appear today but it seems --

3 THE MAGISTRATE: Well, but regardless, let me put
4 it to you this way. Whether it's the one that you're trying
5 to reshuffle from Friday to today or another one. If the
6 one from Friday is not possible, well then call your next
7 one, your next witness.

8 Again, we're trying to maximize, we're trying to
9 maximize the time that we have this week. So, we'll take a
10 ten minute recess. If we can have your witness -- if the
11 one that was going to testify on Friday is your next
12 witness, great. If not, if that witness cannot testify
13 because of lack of interpreter issues, well then call
14 another witness who doesn't need an interpreter. All right.
15 A ten minute recess.

16 (A recess was taken at this time)

17 (Back on the record)

18 THE MAGISTRATE: Who's your next witness, Counsel?

19 MR. BAUERMEISTER: Your Honor, about that, Attorney
20 Bauermeister, well, to put it simply, Your Honor, we were
21 unable to -- we don't have another witness because of the
22 reasons that I explained and our witness from Friday that we
23 moved is still with a patient and it's not feasible, Your
24 Honor.

25 THE MAGISTRATE: I think I'll have a word with the

1 attorneys in chambers. Meet me in Chambers 5.

2 (The hearing adjourned at 3:58 p.m.)

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 U.S. DISTRICT COURT)
2 DISTRICT OF PUERTO RICO)

3

4 I certify that this transcript consisting of 184 pages
5 is a true and accurate transcription to the best of my
6 ability of the proceedings in this case before the Honorable
7 Marcos E. Lopez, on December 6, 2021.

8

9

10

11

12 S/Boabdil Vazquetelles

13 Court Reporter

14

15

16

17

18

19

20

21

22

23

24

25